

1 STATE OF MISSOURI
2 PUBLIC SERVICE COMMISSION
3
4 TRANSCRIPT OF PROCEEDINGS
5 Hearing
6 March 2, 2006
7 Jefferson City, Missouri
8 Volume 7
9 Cathy J. Orlor,)
10 Complainant,)
11 v.) Case No. WC-2006-0082
12 Folsom Ridge, LLC, Owning and) et al.
13 Controlling the Big Island)
14 Homeowners Association,)
15 Respondent.)
16 In the Matter of the Application)
17 of Folsom Ridge, LLC, and Big)
18 Island Homeowners Water and Sewer)
19 Association, Inc. For an Order)
20 Authorizing the Transfer and) Case No. WO-2007-0277
21 Assignment of Certain Water and)
22 Sewer Assets to Big Island Water)
23 Company and Big Island Sewer)
24 Company, and in Connection)
25 Therewith Certain Other Related)
Transactions.)
HAROLD STEARLEY, Presiding,
REGULATORY LAW JUDGE.
STEVE GAW,
LINWARD "LIN" APPLING,
COMMISSIONERS.

1 REPORTED BY:

2 KELLENE K. FEDDERSEN, CSR, RPR, CCR
3 PAMELA FICK, RMR, RPR, CCR #447, CSR
4 MIDWEST LITIGATION SERVICES

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 APPEARANCES:

2 MARK W. COMLEY, Attorney at Law
3 Newman, Comley & Ruth
4 601 Monroe, Suite 301
5 P.O. Box 537
6 Jefferson City, MO 65102
7 (573) 634-2266

8 FOR: Folsom Ridge, LLC.
9 Big Island Homeowners Water and Sewer
10 Association.

11 CHARLES E. McELYEA, Attorney at Law
12 Phillips, McElyea, Carpenter & Welch
13 85 Court Circle
14 Camdenton, MO 65020
15 (573) 346-7231

16 FOR: Big Island Homeowners Water and Sewer
17 Association.

18 PAMELA HOLSTEAD, Attorney at Law
19 3458 Big Island Drive
20 Roach, MO 65787
21 (573) 317-1198

22 FOR: Big Island Water Company.
23 Big Island Sewer Company.

24 CATHY ORLER, pro se
25 3252 Big Island Drive
Roach, MO 65787
(573) 317-1490

FOR: Pro Se Complainant.

CINDY FORTNEY, pro se
3298 Big Island Drive
Roach, MO 65787
(573) 346-7613

FOR: Pro Se Complainant.

BENJAMIN D. PUGH, pro se
1780 Big Island Drive
Roach, MO 65787
(573) 346-4336

1 STAN TEMARES, pro se
1836 Big Island Drive
2 Roach, MO 65787
(636) 928-3126

3
4 FOR: Pro se Complainant.

5 LEWIS R. MILLS, JR., Public Counsel
P.O. Box 2230
200 Madison Street, Suite 650
6 Jefferson City, MO 65102-2230
(573) 751-4857

7
8 FOR: Office of the Public Counsel
and the Public.

9 KEVIN A. THOMPSON, General Counsel
JENNIFER HEINTZ, Assistant General Counsel
10 P.O. Box 360
200 Madison Street
11 Jefferson City, MO 65102
(573) 751-3234

12
13 FOR: Staff of the Missouri Public
Service Commission.

14

15

16

17

18

19

20

21

22

23

24

25

1 P R O C E E D I N G S

2 JUDGE STEARLEY: Good morning. We are back
3 on the record. Today is March the 2nd, 2007, and we are
4 in the third day of our hearing in Case Nos. WC-2006-0082
5 and Case No. WO-2007-0277. I have, I believe as we were
6 carrying over from yesterday on my witness list for today,
7 we're going to begin with Mr. John MacEachen from the DNR,
8 followed by readings of excerpts from the deposition from
9 Mr. Comley, then Gail Schneider and Phil Hiley. Does that
10 match everyone else's time line?

11 MR. MILLS: Your Honor, which depositions
12 are we going to have readings from?

13 JUDGE STEARLEY: The depositions will be
14 from John MacEachen and from a Mr. -- I can't remember his
15 first name, Finn.

16 MR. MILLS: Clinton Finn.

17 JUDGE STEARLEY: Clinton Finn, that's
18 correct.

19 MR. MILLS: Well, Mr. MacEachen is clearly
20 available. Is Mr. Finn not available?

21 JUDGE STEARLEY: Mr. Finn, I'm not sure if
22 he would have been available, but he was not subpoenaed by
23 the Commission to be here nor provided by any other party.
24 And yesterday we set out, Mr. Comley will read excerpts
25 from the deposition only to the extent that Mr. MacEachen

1 has not provided live testimony, so that we don't have any
2 type of duplication there.

3 MR. MILLS: Just for fair warning, I may
4 object to that use of depositions. I don't believe it's
5 consistent with practice.

6 MR. COMLEY: The rule no longer requires
7 that availability of witness is important. The deposition
8 can be used for any purpose at trial.

9 JUDGE STEARLEY: That is my reading of the
10 rule as well, but you can renew any specific objections
11 you may have at that time, Mr. Mills.

12 So at this time I would call Mr. John
13 MacEachen to the stand.

14 MS. HEINTZ: I'm sorry, your Honor. Before
15 we begin Mr. MacEachen's testimony, I had one little
16 housekeeping matter.

17 JUDGE STEARLEY: Please proceed.

18 MS. HEINTZ: Yesterday I raised a relevance
19 objection to any testimony, and I believe it was in
20 response to a question about DNR's choosing to regulate or
21 not the service lines that go into homes, and I would like
22 the record to reflect that my objection is continuing on
23 relevance grounds.

24 JUDGE STEARLEY: And I believe I sustained
25 that objection yesterday, so as that objection is

1 continuing, my sustaining of that objection will also be
2 continuing.

3 COMMISSIONER GAW: Well, now, Judge, I'm
4 going to have an issue with that if we get too far into
5 this, because we had discussion last night in regard to
6 questions of service lines running together and that was
7 clearly -- is clearly in the record now. So I think
8 whatever sustaining of objections might have been done
9 before needs to be -- any new objections need to be taken
10 in light of the fact that we now have evidence in the
11 record concerning how these service lines are together and
12 running together, and I want to inquire further about that
13 topic that I was inquiring on last night.

14 JUDGE STEARLEY: My understanding was the
15 objection related to DNR's jurisdiction.

16 MS. HEINTZ: That's correct.

17 COMMISSIONER GAW: Well, I may need to ask
18 some questions about that, because I'm not clear about
19 what that jurisdiction is at this point, and I need to
20 have some information about where that demarcation is so I
21 can understand what this Commission's role should be in
22 filling in the gaps. And it's important from my
23 standpoint that I be able to do that.

24 JUDGE STEARLEY: I certainly don't know
25 that -- if you're asking about jurisdiction, if that is

1 going to cross into Staff's objection or not. I suppose
2 at this point we can wait and see what Commissioner Gaw's
3 questions are and see if they're crossing into that same
4 territory and proceed from that point.

5 COMMISSIONER GAW: I'll tell you, Judge,
6 it's very unusual for a Commissioner's questions to be
7 ruled upon as being objectionable unless they are way out
8 of territory.

9 JUDGE STEARLEY: I understand.

10 MS. HEINTZ: The question I objected to was
11 a question by Complainant on relevance grounds.

12 COMMISSIONER GAW: And I haven't had time
13 to review that, so I don't know what we're dealing with at
14 this point.

15 MR. MILLS: And, your Honor, just for
16 purposes of clarity of the record, I have a little trouble
17 understanding how an objection to a topic this broad could
18 be continuing and the ruling of sustaining that objection
19 could be continuing without making specific objections to
20 specific questions.

21 We've come close to the service line areas
22 in a lot of ways, and I'm not sure that I would understand
23 from what you just said when a question would stray into
24 the area for which you have sustained a continuing
25 objection. So I think I would prefer, if it's okay with

1 the Bench, that objections to that area need to be made to
2 particular questions so that we all clearly understand
3 where we are and where we're not.

4 JUDGE STEARLEY: And as I said, I think due
5 to the fact that I'm not sure at this point from our
6 discussions if that objection will stray into that
7 territory or not, we will take them up individually.

8 COMMISSIONER APPLING: Judge, everybody's
9 talking here. Can I get a --

10 JUDGE STEARLEY: By all means, please join
11 in.

12 COMMISSIONER APPLING: Commissioner Gaw,
13 would you do one thing for me on the beginning of your
14 questions this morning? I've been sitting here kind of
15 under the impression somebody will clear me up with the
16 service lines that was put in the same trench has been
17 corrected, and if they haven't been, I still have a need
18 to make sure that that is clear to me this morning so that
19 I can track what's going on. If they're still together,
20 then that means something else to me, and if they're not
21 together that means another thing. So would you clear
22 that up?

23 COMMISSIONER GAW: That's one of the things
24 I'm interested in, too, Commissioner. I appreciate that.

25 COMMISSIONER APPLING: Thank you, sir.

1 JUDGE STEARLEY: All right. Mr. MacEachen,
2 would you please come forward. You can have a seat here
3 at our witness stand.

4 (Witness sworn.)

5 JUDGE STEARLEY: You may be seated. And,
6 Commissioner Gaw, I believe you can start.

7 COMMISSIONER GAW: Thank you, Judge.

8 JOHN MacEACHEN testified as follows:

9 QUESTIONS BY COMMISSIONER GAW:

10 Q. Would you state your name, please, for the
11 record.

12 A. My name is John Douglas MacEachen.

13 Q. What do you do, Mr. MacEachen? Is it
14 Mister or Doctor?

15 A. No, it's just Mister.

16 Q. All right.

17 A. Yes. I am the enforcement unit chief for
18 the public drinking water branch of the Missouri
19 Department of Natural Resources.

20 Q. What do your duties generally entail?

21 A. My duties entail assuring compliance with
22 the regulatory requirements as put in the Safe Drinking
23 Water Act and the regulations, the Code of State
24 Regulations pertaining to water systems.

25 Q. Okay. Tell me how long you've worked for

1 DNR.

2 A. 14 years, sir.

3 Q. And how long have you had your current
4 position, approximately?

5 A. Approximately 7 years.

6 Q. And what did you do in DNR prior to that?

7 A. I was the enforcement coordinator for the
8 Department -- well, for the drinking water program at that
9 time.

10 Q. All right. And your education?

11 A. I have a bachelor of science in biology
12 from Heidelberg College in Tiffin, Ohio.

13 Q. Okay. Your general duties in regard to
14 your current -- your current position, do they involve
15 drinking water and sewage?

16 A. No, they do not. They're relegated to
17 drinking water only.

18 Q. All right. Who handles the sewage side of
19 the DNR?

20 A. That is handled by the water pollution
21 control program. I'm sorry. Water pollution control
22 branch, a sister branch to us under the water protection
23 program.

24 Q. When those two -- when those two areas
25 cross one another, when you have a drinking water and a

1 sewer water issue, how is that handled at DNR on those, on
2 cross communications?

3 A. Generally that's handled by inter-program
4 or inter-branch communication and cooperative effort.

5 Q. Okay. Who is in charge of that area?

6 A. For the water pollution side?

7 Q. Yes. Thank you.

8 A. Kevin Mohammadi is the section chief for
9 the water pollution control branch.

10 Q. Are you familiar with Big Island?

11 A. Yes, sir, I am.

12 Q. Tell me how you became familiar with it,
13 generally what your knowledge is about it, just very
14 generally at this point.

15 A. I first gained knowledge of the potential
16 problems at Big Island in the latter part of 2003, when it
17 was reported to us that there was a potential interference
18 between the water and sewer mains that were laid in the
19 project.

20 Q. Okay. Did you check the records at the
21 time you were notified of this incident with DNR to see if
22 there had been any prior matters concerning this --

23 A. Yes.

24 Q. -- Big Island?

25 A. Yes, I did.

1 Q. What did you find?

2 A. I found that in 1998, they had -- Big
3 Island -- actually, Mr. Golden's company had begun
4 construction of water and sewer mains without prior
5 approval for the drinking water requirements.

6 Q. Okay. What was the consequence of that,
7 according to the records?

8 A. We asked them to suspend further
9 construction until we could examine the situation, make a
10 determination of how extensive and require them to come
11 back into compliance with a submitted application for a
12 construction permit, plans, specifications, detailed
13 drawings, that type of thing.

14 Q. Were there any fines or other consequences
15 other than those that you mentioned at the time?

16 A. There were not.

17 Q. Okay. So now we're going to jump forward
18 then from -- was it 1999?

19 A. 1998.

20 Q. 1998 to the time frame when you said you
21 were first made aware, which was again what year?

22 A. In 2003.

23 Q. All right. And did you do an investigation
24 based upon the notification of a potential issue?

25 A. I did not personally make an investigation,

1 but one of my staff members began to become involved in
2 the direct observations and investigations.

3 Q. And were you -- did he report to you in
4 regard to that investigation?

5 A. Yes, he did.

6 Q. All right. And can you tell me what the
7 Department discovered as a result of the investigation?

8 A. We asked that the developer do excavation
9 pits for examination of the placement of water and sewer
10 mains. We did actually find that water and sewer mains
11 were placed in the same trench, in some cases side by
12 side. In other cases, the separation -- there was a
13 vertical, an appropriate vertical separation between the
14 water and sewer mains with the water mains being above,
15 but there were also locations where the water main had
16 been placed underneath the sewer main.

17 Q. All right. Now, those things that you are
18 describing to me, first of all, I want you to tell me
19 whether or not those are violations of any laws or
20 regulations in Missouri or under any other codes that
21 would be applicable.

22 A. They are not violations of the regulation
23 or the law. We do not have specific stipulation in the
24 regulations or law regarding construction. We operate
25 from a design guide, which is not law and not regulation,

1 but it is a standard to which we hold all water systems
2 who are constructing facilities.

3 Q. And how do you hold them to that standard?
4 What's your authority to do that?

5 A. Generally we -- if we find a violation, we
6 ask them to repair and correct, and those violations may
7 range in nature from failure to obtain a construction
8 permit prior to construction, in which case we ask we
9 require them to cease construction until the situation can
10 be corrected. I do not recall that we have ever placed a
11 fine on anyone for violation of the construction
12 standards. We have generally received cooperation in
13 repairing those systems.

14 Q. Okay. So in this case in 2003, again, how
15 many -- how many things -- or if you would, just go
16 through the list of things that you -- that DNR found to
17 be violations of the design requirements that DNR has for
18 these water/sewer systems.

19 A. Our principal finding was that the
20 waterlines were inappropriately installed, that they did,
21 in fact, either run parallel to and at the same level of
22 the sewer mains or, as I said earlier, in some cases below
23 the sewer mains. And when we requested that that -- that
24 those situations be corrected, the developer did, in fact,
25 begin action to correct those deficiencies.

1 Q. Okay. And were they corrected at some
2 point?

3 A. Yes, they were.

4 Q. All right. And do you know when that
5 finally had occurred?

6 A. We did an excavation pit examination at
7 several locations within the system on January 12th, 2004,
8 I believe. Made note of it in a format report to the
9 developer with recommendations. The developer then began
10 a relocation, a complete relocation of the water lines --
11 or the water mains to a distance of ten feet of horizontal
12 separation in most cases where it was appropriate and
13 possible from construction -- on-site construction
14 requirements. I believe that we were -- as memory serves,
15 we were assured or felt assured by the end of 2004 that
16 the corrections had been made.

17 Q. All right. So you did spot checks with
18 excavations to see whether or not the appropriate changes
19 had been made to bring the system in compliance?

20 A. Yes, sir.

21 Q. Now, I want you to explain to me why the
22 issues that you initially found in 2003 in regard to the
23 proximity of lines together is a problem. Why is it that
24 the design that DNR has calls for separation of those
25 lines and something regarding other things such as which

1 line is on top of the other, et cetera. Explain that for
2 me, if you would.

3 A. The principal reason for a physical
4 separation between the water and sewer mains is to prevent
5 a possible contamination of the water lines by leaking
6 sewer lines. That's the guiding principle under which we
7 operate. It is conceivable and it is possible and also
8 approvable that water and sewer mains can be installed in
9 the same trench, but there has to be a vertical separation
10 between the water mains and the sewer mains, and the water
11 mains being placed above -- this is by our design guide --
12 the water mains must be placed above the sewer mains on a
13 natural soil shelf for isolation and to prevent sinking of
14 the water mains.

15 The principal reason for doing that is, in
16 the event that a sewer main were to leak, they are not
17 sealed as completely as water mains and are usually
18 impacted by shifts in ground from freeze/thaw cycles. We
19 generally find that the separations of the water main or
20 the location of the water main above the sewers will be a
21 barrier to a possible contamination source.

22 Q. So as long as it's set up in the fashion
23 that you just described, DNR will approve that kind of
24 setup?

25 A. That is correct.

1 Q. What can happen if there's cross
2 contamination? That may seem obvious, but I would like
3 for you to explain that, please.

4 A. The result of a cross contamination would,
5 of course, be the contamination of a potable water supply
6 with a wide range of bacterial and viral contaminants from
7 the wastewater system, obviously reducing the quality of
8 the water, of the potable water and placing people in
9 jeopardy in regards to their public health.

10 Q. Now, when you're doing these checks and
11 designs on systems, how -- how far can you go in
12 inspecting the systems in regard to what's under your
13 particular oversight?

14 A. Historically, we have asked for the
15 cooperation of the person constructing -- responsible for
16 the construction. We don't have specific regulatory
17 authority to require them to open a specific number of
18 feet of line. But if we identify a potential source or a
19 potential site where there may be contaminant, it is not
20 unusual in our practice to require a further excavation on
21 either side of that original excavation pit.

22 It also leads to us looking possibly
23 further at other areas to confirm that the situation is
24 the same in other areas, or that it may be different in
25 other areas.

1 Q. Who were you dealing with in 2003-2004 with
2 the Big Island issues?

3 A. Principally for the developer, Mr. Reggie
4 Golden, his engineer Jim Jackson of Lake Engineering. We
5 had discussions with some of the homeowners, in particular
6 Ms. Cathy Orlor, Mr. Ben Pugh. I have seen a name in
7 correspondence, Mr. Benjamin Wier, but I do not believe
8 I've ever had any direct contact with him.

9 Q. Okay. Did you -- now, when you were
10 looking at these systems and the design of them, you were
11 talking about mains earlier?

12 A. Yes, sir.

13 Q. Is there a limit on how far into the system
14 that DNR will examine the design as far as whether it
15 stops at the mains? Does it go into other areas?

16 A. We do not have any regulatory authority
17 once you depart from the main, from the water or sewer
18 main. In other words --

19 Q. Go ahead.

20 A. -- we do not have regulatory authority over
21 service lines running from the supply mains to the
22 facilities being served.

23 Q. Can you explain what that authority derives
24 from, first of all, in regard to looking at the mains.
25 Where does that come from? Is it statutory?

1 A. No, it comes from our design guide.

2 Q. And how is the design guide adopted?

3 A. The design guide is based on a document
4 known as the Ten-State Standards, which is a document
5 created by the participation of ten states, Missouri being
6 one of those states, in developing a set of guidance
7 materials or guidance criteria for the construction of
8 systems that would be used to supply potable water and
9 take away wastewater.

10 Those -- that design guide has never been
11 developed into regulation certainly here in Missouri, and
12 I do not believe that any other state has ever formally
13 put it into -- put the design guide into regulation.
14 That's a -- I cannot state specifically that that's true,
15 but I don't know of any other states that have formally
16 adopted the design guide.

17 Q. So if it's just a guide, how do you enforce
18 it?

19 A. That's a very good question. We enforce
20 it, we rely on cooperative efforts between the person
21 responsible for the construction and the Department. If
22 they -- if the design guide is not followed, at some point
23 in time it is certainly possible that violations of water
24 quality standards will take place. We at that point in
25 time would have a firm basis on which to levy fines and

1 penalties because there are actual violations of water
2 quality standards. But with regard to the design guide
3 itself, it is not a regulation.

4 Q. Do you have to issue permits for
5 construction?

6 A. Yes, we do.

7 Q. For these systems like Big Island?

8 A. Yes, we do.

9 Q. Do you review a design before issuing
10 that --

11 A. Yes, we do.

12 Q. -- license?

13 If the design does not meet your standards,
14 will that cause you not to issue a license?

15 A. That is correct.

16 Q. So in essence, you do have some ability,
17 then, to control the design through that mechanism, do you
18 not?

19 A. I would say yes.

20 Q. If -- in the case of Big Island, was this
21 application done for the construction?

22 A. It was not done prior to the commencement
23 of construction.

24 Q. Was that a violation of DNR regulations?

25 A. That was a violation, and suitable for

1 issuance of a Notice of Violation. At that point of
2 issuance of Notice of Violation, we asked them to suspend
3 operation.

4 Q. When was that?

5 A. 1999, I believe.

6 Q. That was the earlier time frame?

7 A. That's correct, yes.

8 Q. Now, finish your answer, then I want to ask
9 you something else.

10 A. Okay. Where was I?

11 Q. I may have caused you to have a problem.

12 Sorry.

13 A. They did not apply for a construction
14 permit prior to commencing the actual construction. We
15 became aware that they were constructing water mains,
16 asked that they cease and submit appropriate construction
17 documents and a formal permit application, which they did.
18 And they delayed the recommencement of construction 'til
19 such time as the construction permit had been issued.

20 Q. Okay. Explain to me why the -- you were
21 finding a system during the construction phase in 2003 or
22 maybe it's already been constructed in 2003, that violated
23 your design principles. I'm struggling a little bit
24 trying to understand that if they -- during sometime
25 during '99 or post '99 they had submitted plans that were

1 approved?

2 A. Yes.

3 Q. Can you reconcile that for me?

4 A. I'm not sure what you're asking.

5 Q. All right. Let me start out this way:

6 Subsequent to '99, when it was discovered that the
7 construction was being done on Big Island without a proper
8 permit from DNR --

9 A. Correct.

10 Q. -- I assume that there was a submission of
11 an application and an approval of that?

12 A. Prior to 1999?

13 Q. No. Subsequent.

14 A. Subsequent to, that's correct.

15 Q. When was that? Do you know?

16 A. I do not know right off the top of my head
17 when that construction permit was issued.

18 Q. Is it possible for you to look at records
19 and find out?

20 A. Yes. Yes.

21 Q. Do you have those?

22 A. I believe those records were introduced
23 into the hearing yesterday by our custodian of records.
24 If I might consult them, I can.

25 COMMISSIONER GAW: That would be helpful,

1 if that's easy, Judge, to accomplish. Do you know where
2 those are?

3 MR. COMLEY: Your Honor, I have them and we
4 have distributed them to the parties. They have not been
5 officially offered. We were trying to get the copying
6 done.

7 COMMISSIONER GAW: We can come back to
8 that. It's not that critical. I'm just trying to get a
9 timeline here.

10 MR. COMLEY: Unless the other parties
11 object, I don't mind giving those to Mr. MacEachen for his
12 review, if he needs to have them to refresh his memory
13 about things.

14 COMMISSIONER GAW: That would be the
15 easiest thing. Just whatever works for you-all. You've
16 got somebody wanting to be recognized, Judge.

17 JUDGE STEARLEY: Mr. Pugh?

18 MR. PUGH: Mr. Gaw, are you talking about
19 the original construction permit, what date? January 5th
20 of 1999.

21 COMMISSIONER GAW: Thank you. We'll have
22 to get testimony from the witness, though, Mr. Pugh.
23 Thanks.

24 THE WITNESS: The Big Island developer
25 applied for a permit on or about -- we received his

1 application for a formal construction permit on or about
2 October 13th, 1998.

3 BY COMMISSIONER GAW:

4 Q. Now, you are currently looking at something
5 in front of you, correct?

6 A. Yes, I'm looking at --

7 Q. You're refreshing your memory about when
8 this application occurred?

9 A. Yes, sir.

10 Q. What is that that you're looking at?

11 A. I am looking at a November -- a packet
12 dated November 22nd, 1998 from Lake Professional
13 Engineering to Mr. Breck Summerford, who is the chief of
14 our permits section in the drinking water branch, and has
15 attachments. He -- the engineering company has attached a
16 copy of their construction permit application and a
17 response letter from Mr. Summerford acknowledging receipt
18 of that construction application, plans, specifications,
19 detailed drawings.

20 MR. COMLEY: If I may, Mr. MacEachen, there
21 should be an exhibit number affixed by our court reporter.

22 THE WITNESS: Exhibit No. 78.

23 COMMISSIONER GAW: Thank you. Thank you,
24 Mr. Comley.

25 BY COMMISSIONER GAW:

1 Q. Now, does that -- does that correspond with
2 your earlier testimony about being notified that they were
3 proceeding with the construction without a permit?

4 A. I believe that that postdates our
5 notification of them constructing.

6 Q. Tell me what you mean by that.

7 A. In other words, they had already
8 constructed prior to the date of this correspondence.

9 Q. Okay.

10 A. Or had begun construction prior to the date
11 of this correspondence.

12 Q. Do the records indicate when there was
13 actually a permit issued by DNR?

14 A. If you'll give me just a moment to review
15 this.

16 Q. Absolutely.

17 A. This particular document does not indicate
18 a date of approval of the construction permit. Exhibit
19 No. 80, which is a correspondence from, once again,
20 Mr. Summerford to Mr. David Lees, president of the Big
21 Island Homeowners Association, also Big Island West
22 subdivision dated March 7th, 2000, indicates an approval
23 of the submitted plans and specifications and a permit to
24 construct.

25 Q. Okay. Now, do you have the ability to tell

1 whether or not the design that was submitted, that was
2 approved according to what you believe you're seeing in
3 those records, that that design was the same or different
4 than what DNR discovered to exist in 2003?

5 A. I believe that it does not -- what was
6 permitted under this permit number does not conform with
7 what was found in the excavation pits.

8 Q. So that would -- in other words, the design
9 that was submitted did comply?

10 A. Yes, sir.

11 Q. But what actually was done was different
12 and did not?

13 A. Yes, sir.

14 Q. Okay. What occurs -- is there an
15 enforcement mechanism for DNR when the actual construction
16 of a water/sewer system is different than what was
17 submitted in the design and approved?

18 A. There are times when it is because of
19 unknown, unforeseen construction problems. It is
20 certainly permissible to change the actual construction,
21 but usually that is done with an appendage or an appendix
22 to the original construction issuance. In this case, no
23 such issuance was made.

24 Q. Okay. What's the consequence or potential
25 consequences of that?

1 A. We ask that -- we ask for voluntary
2 cooperation first. If the party elects not to cooperate,
3 then we withdraw the construction permit, the permit
4 approval. We inform them that they will not be able to
5 dispense water under a routinely issued permit to
6 dispense. If they continue to resist, then we will file a
7 case in the circuit court for dispensing without a permit
8 and for construction because of -- I guess I should say
9 because of construction deficiencies and unapproved
10 construction.

11 Q. Okay. It seems rather indirect, but is
12 there a -- there a direct mechanism that you can utilize
13 to fine individuals or penalize entities if they do not
14 construct according to the design that was approved?

15 A. Well, we do not have a direct mechanism in
16 the regulations.

17 Q. So that's a regulation issue?

18 A. Yes.

19 Q. Is it a statutory issue as well?

20 A. It is a statutory issue. The statute
21 provides the authority to regulate construction and
22 require plans and specifications, but it does not
23 specifically provide language under which we can file a
24 case, if you will. It is a -- it is a gap in the
25 regulation and statute.

1 Q. Yes, sir. Okay. Now I want to get back to
2 this issue of what design you are actually -- DNR is
3 actually approving and how far it goes. I believe you
4 testified earlier that you do not get to the service line
5 issue?

6 A. That is correct.

7 Q. Are the service lines even a part of the
8 design that's submitted or required to be?

9 A. Generally, the limits of what is submitted
10 with the project drawings and specifications is a plan of
11 what the materials will consist of, what the burial depths
12 will be, where shutoffs will be located, where water
13 provision for water meter installation will be located,
14 where the whole facility will -- where the whole service
15 line will lay with regard to property lines and the
16 service mains, distance from the sewer mains to the
17 property lines, that type of thing.

18 We review those and make recommendation on
19 them, but we do not have control. If they do not -- if
20 they do not install appropriately, we do not have any
21 regulatory authority in that area.

22 Q. If they don't design appropriately, do you
23 have any regulatory authority over them?

24 A. We do not, other than to note -- note this
25 as a potential problem. We cannot deny an application

1 for -- solely on service line issues.

2 Q. Okay. Is that an -- if you could, and you
3 feel comfortable doing it, what is it that causes that to
4 be the point of demarcation? Is there something statutory
5 about that or is it a regulation issue?

6 A. No. No, it is not. It is a practice
7 issue. Well, I guess I could say yes, there is some --
8 there is some regulation in that we have the authority
9 through the regulations to require construction standards
10 or -- yeah, construction standards on water mains, but not
11 sewer main -- not water service lines. It is not covered
12 in the design guide. It's just not there.

13 Q. Okay.

14 A. I guess the philosophy behind that is that
15 we have regulatory authority over that portion of the
16 system that serves the general public, the user public.
17 We do not have authority to regulate what happens with the
18 private aspect of the water system, and a service line,
19 being as how it only serves one household, if you will,
20 that is considered a private portion of a distribution
21 system, and responsible -- either covered -- the
22 responsibility for that is either covered by local
23 ordinance or by private ownership.

24 Q. What happens if the service lines or a
25 portion of the service lines are not owned by the

1 homeowner, the entity, but owned by the company itself?

2 A. Generally, there isn't -- generally there
3 isn't a major problem, as far as we're concerned, with
4 that division of ownership between the entity and the
5 private homeowner. That is something that's under local
6 control and not under state control.

7 Q. Okay. Do you know in regard to Big Island
8 if there are local ordinances regarding service lines?

9 A. I know that there are covenants and
10 restrictions relative to the subdivision, but I do not
11 know if those -- I do not recall ever seeing anything in
12 those covenants and restrictions or operating bylaws if
13 they exist pertaining to private service lines. L

14 JUDGE STEARLEY: Mr. MacEachen, I'm going
15 to pass the court reporter my copy of Exhibit 63 for you
16 to refer to, but I would like it back.

17 BY COMMISSIONER GAW:

18 Q. Do you have that in front of you?

19 A. Yes, sir, I do.

20 Q. Let's look at the -- let's look at the
21 first page of Exhibit 63, if you would. And without any
22 verification of whether what's on this page is true or
23 false or not, what I'm looking for here is some
24 understanding of and your expertise in regard to proximity
25 of lines and lines over one another, et cetera, that you

1 were discussing earlier in regard to mains.

2 First of all, on that first page, those
3 appear to be service lines. Can you tell from looking at
4 the picture?

5 A. The lines running -- the lines running to
6 the right of the picture?

7 Q. Yes.

8 A. And running underneath the larger diameter
9 lines?

10 Q. Yes.

11 A. Are sewer -- are service lines.

12 Q. Okay.

13 A. Which is water and which is sewer is not
14 readily apparent in this picture, as are the two larger
15 pipes shown in the picture. It's hard to determine from
16 this picture which is which. I would say in my experience
17 that the line, the larger diameter line on the left-hand
18 side of the picture with what appears to be a strap around
19 it --

20 Q. Yes.

21 A. -- that may be a sewer line. That looks
22 like a device -- that strapping looks like a device that's
23 typically used for installation of service lines, sewer
24 service lines into water mains. That would leave the line
25 on the right, the larger diameter line on the right to be

1 a water main.

2 Q. Okay.

3 A. But can I absolutely tell for sure? No, I
4 cannot.

5 Q. If we assume that what you believe to be
6 true is true, first of all, can you tell me in regard to
7 the mains, if those two lines were running in that
8 proximity to one another and/or if a design were submitted
9 to DNR where they were running in that proximity, would
10 that be problematic for DNR to approve?

11 A. This would be unapprovable. This
12 absolutely would be unapprovable. The two mains are
13 entirely too close together, either horizontally or
14 vertically.

15 Q. All right. And now I realize you've
16 already said that you don't look at design issues on
17 service lines. If service lines of water and sewer were
18 in that proximity to one another, would the same kind of
19 issues exist in regard to their proximity from a health
20 and safety standpoint?

21 A. Potentially, yes. I can't rule it out.

22 Q. If you were looking at the placement of
23 service lines, water and sewer, based upon your knowledge
24 of the potential problems or health and safety that come
25 from proximity, would you recommend putting service lines

1 in in this fashion?

2 A. No, I would not.

3 Q. And why would that be?

4 A. Because of the proximity and the potential
5 for contamination should the -- should both of those lines
6 break at the same time, and it's certainly a possibility.
7 You can't rule it out.

8 Q. Okay. I'm venturing into territory that
9 I'm not very familiar with when we turn this page, but if
10 you would look at the second page. There is a
11 representation there about a fitting over a four-inch
12 sewer main and no protective sleeving over a one-inch
13 service line. If we assume that is accurate, is that a
14 problem from DNR's perspective?

15 A. It certainly has the potential to be
16 problematic, yes.

17 Q. And explain to me why that would be.

18 A. There are two points of problem that I see.
19 First of all, the connection that you see at the end of
20 the blue line on the right-hand side of the picture --

21 Q. Yes.

22 A. -- that, if that -- if that connection were
23 not absolutely tight, it does represent a potential site
24 for infiltration of sewer -- sewer effluent into the water
25 main. If, in fact, this -- and it's marked on the picture

1 that this blue line appears to be a water service to the
2 water main. This certainly represents a potential site
3 for a problem if a -- if certain conditions occur. First
4 and foremost would be that if the system had a loss of
5 pressure and went, what we call went negative --

6 Q. Yes.

7 A. -- actually developed a vacuum --

8 Q. Does that occur sometimes?

9 A. It does occur, yes.

10 Q. Okay.

11 A. Yes.

12 Q. Proceed. Go ahead.

13 A. If the system goes negative and a vacuum
14 develops within any portion of the sewer, of the water
15 system, there's certainly a risk for infiltration of
16 wastewater effluent into the line by virtue of the vacuum.
17 That's one of the reasons for requiring water -- sewer
18 mains to be placed below.

19 The principles between water and sewer
20 mains and separation of water and sewer mains applies
21 equally in theory to service lines, but we just -- we
22 don't have the regulatory authority to work or to regulate
23 those service lines. We've never been given that
24 authority.

25 Q. I understand. Part of the reason I'm

1 inquiring here is to understand where the line is for
2 you-all.

3 In the second picture on that second page,
4 there is a representation that there's a four-inch sewer
5 main at a 00 higher on the road and a water main that is
6 represented to be below it. If you assume those facts to
7 be true, if we assume that to be true, is that
8 problematic?

9 A. Without --

10 Q. Can you tell?

11 A. The specific location of the water and
12 sewer mains in this picture are not definitively pointed
13 to, and there is no scale.

14 Q. Yes.

15 A. I would -- looking at the picture and
16 making certain assumptions that I might not possibly make,
17 I would require a scale and a specific location.

18 Q. Yes.

19 A. The separation of the sewer main and the
20 water main, even though the water main is higher, appears
21 to be something in the neighborhood of ten feet. Once
22 again, it's hard to determine without a scale to the
23 picture, but it appears to be ten feet. That would meet
24 the requirements of the design guide, even though it's
25 stated in the picture water main is actually lower than

1 the sewer main. You have the ten-foot horizontal
2 separation. Most often that horizontal separation has
3 undisturbed material between, and that undisturbed
4 material acts as barrier to the migration of any
5 wastewater to the potable water supply system.

6 Q. But regardless of whether that existed or
7 not, the question would be from your standpoint whether or
8 not there was ten feet separation?

9 A. That's correct.

10 Q. So the question in regard to whether this
11 picture is problematic or not can't be solved by looking
12 at the picture, you would have to be onsite to see?

13 A. Yes.

14 Q. All right. Let's go to -- actually, let's
15 skip the third page. It appears to me to be a larger
16 version of the other picture we saw. I'm not sure if
17 that's accurate or not, but let's just skip it for the
18 time being.

19 Look at page 4, if you would, and if we
20 assume that the representations on that page in regards to
21 the water service line connection directly above -- let's
22 see. Looks to me like it says reinstall water main at
23 lower road level. Do you see that?

24 A. Yes, I do.

25 Q. Can you tell me whether or not you see

1 anything on that picture that if those representations
2 were true would be problematic from DNR's standpoint?

3 A. As I've discussed with several people at --
4 several homeowners --

5 Q. Yes.

6 A. -- I would not make this installation.

7 Q. Okay. And why not?

8 A. For several reasons. First of all, the
9 piping, the blue piping --

10 Q. Yes?

11 A. -- running from the water main up over the
12 sewer main and connecting to the service line to the
13 house, I would be very hesitant to trust that type of
14 pipe. I do not believe that it is what we refer to as
15 160-pound burst rated pipe. It appears to be a flexible
16 pipe and is probably -- I'm going to -- this is an
17 assumption on my part, but I would say that it is probably
18 an 80-pound rated burst pressure pipe.

19 Buried in the kind of condition that it's
20 buried in with the amount of rubble and rock that's
21 illustrated in the picture, it certainly represents a
22 potential for rub through and premature failure of that
23 type of pipe.

24 Q. All right. And if it's true that there's a
25 water main at the lower road level as represented in that

1 picture, does that add to the concern or not?

2 A. Once again, as I stated previously, without
3 a scale of reference, I'm -- it's impossible to answer
4 your question. From -- my initial reaction to the picture
5 is that I do not -- I do not believe that there would be a
6 high degree of likelihood that any leakage from the sewer
7 main would infiltrate through the soil profile and reach
8 the water main. The reason that I say that is that most
9 often sewer mains are laid with a gravel, on a gravel
10 base, a fine gravel base or even I've seen it done on sand
11 base, too.

12 If there is a leakage from the sewer main,
13 it is going -- and this holds true for water mains, too --
14 it will follow the trench line rather than migrate through
15 an undisturbed portion of soil. Water will always take
16 the path of least resistance, just like electricity.
17 Looking at this picture, from my own practical experience
18 in the water and sewer field, I would say that the
19 likelihood of migration of any leaked sewer effluent to
20 the water main is not very great.

21 Q. So your main concern here is what appears
22 to be pipe that you would not recommend using?

23 A. That's correct.

24 Q. All right. Now, let's turn to the next
25 page. You see there at the top of the page I think it

1 says 1536 Big Island Drive. Do you see that?

2 A. Yes, sir.

3 Q. Let's look at -- and I think if you would
4 assume for me that the second picture is a picture of what
5 lies underneath that plate in the first picture. Could
6 you assume that for me?

7 A. I can make that assumption.

8 Q. All right. First of all, looking at the
9 second picture, what is it that you see there?

10 A. Well, certainly there are two distinct
11 separate pipelines, both of which have valves on them.
12 The pipe on the bottom of the picture, I'm not sure what
13 it is connected to. It's hard to -- it's hard to
14 determine from the picture what that pipe is running into.
15 It's my understanding that most of the homes, most of the
16 residential sites that are connected to the common sewers
17 all have grinder pumps on them and they are pumped from
18 the site of grinding to the sewer main.

19 What I see in the picture in the bottom
20 picture, the lower pipe, I'm not sure that that's a
21 grinder pipe -- grinder pump. It looks too small, from my
22 experience, to be a grinder pump. So I really can't tell
23 you what that's running into.

24 Q. Okay.

25 A. But it may be -- it may be a cast-iron

1 fitting to make a transition from glue joints, although
2 following that pipe further to the left, it appears that
3 there are other glued joints beyond this point of
4 connection. So I just really don't know what it is.

5 Q. But you believe that line on the bottom of
6 that second picture is a sewer line?

7 A. I would conclude that simply because I
8 don't see anything on the top pipe that would indicate
9 that it's anything but a water line. There are no --
10 there are no appurtenances, if you will, between the valve
11 and the left-hand side of the picture. So I believe it's
12 a straight run of pipe, left to right on the top pipe, but
13 the bottom pipe, there is something there, whether it's a
14 grinder pump or maybe a -- I don't know what it is.

15 Q. If we assume that one of these lines is a
16 water line and the other one is sewer line, do you see
17 anything about that photograph that would concern you?

18 A. The top or bottom or both?

19 Q. Let's talk about the bottom one.

20 A. Okay. The bottom, certainly the -- once
21 again, the separation between the two pipelines is
22 somewhat a concern. Second concern, and this is probably
23 more major than the separation of, is that these pipes are
24 glued together. They are probably -- in my estimation and
25 experience, they are probably Schedule 80 pipe.

1 Q. What does that mean?

2 A. Schedule 80 is a rating system on pipe for
3 pressure, rigidity, wall thickness. Sched -- as we call
4 it Sched 80, Sched 80 is fine for a distance usually about
5 ten feet outside the foundation wall of the house, but
6 Sched 80 should never -- glued piping should never be
7 placed underground, simply because where you have a glued
8 joint, the ground is never steady. It's constantly moving
9 up and down, and particularly in cold weather with the
10 freeze/thaw cycle.

11 A glued joint in pipe does not flex. The
12 white portion of the piping may flex to accommodate that
13 ground movement, but a glued joint will not flex. So you
14 end up getting a break there that's referred to as a bean
15 break. It's a specific point of breakage. You don't blow
16 out the side or the top or the bottom of the pipe. It
17 breaks at that joint, and we do see that happen quite
18 often. So this does represent a potential for a source of
19 contamination.

20 Now, I would go further to say that so long
21 as the water system was maintaining 20 or more pounds of
22 pressure per square inch, even if there was a pool of
23 sewage that this line were sitting in and the water mains
24 were made or the water system was maintaining 20 pounds or
25 more, you would not see infiltration into the water line.

1 Q. Yes.

2 A. The water would be flowing out and prevent
3 any infiltration of either groundwater -- contaminated
4 groundwater or sewage effluent. Our chief concern is
5 that when systems go below 20 pounds, that's when the
6 problem -- that's when there's a potential for problem.

7 Q. And again, going below 20 pounds is
8 something that would not be terribly unusual, would it?
9 Let me rephrase that. Is that something that would be
10 foreseeable?

11 A. It's certainly something that would be
12 foreseeable, yes.

13 Q. Anything more you want to say about either
14 of those two pictures, including the one at the top?

15 A. Well, certainly the top, the top picture,
16 if the bottom picture is representative of what's inside,
17 this is what's -- the top picture is what's referred to as
18 a valve box.

19 Q. Okay.

20 A. I'm sorry. Not a valve box but a meter
21 box, meter pit type thing. This is marked sewer.

22 Q. Yes.

23 A. It may -- there may be a sewer line, there
24 may be a sewer service line down there, but there's also a
25 water service line. And it just -- the labeling in itself

1 could be a problem.

2 Q. Why?

3 A. Because someone unfamiliar with the system
4 could access that, it says sewer, there's two lines down
5 there, they must be sewer. Unfortunately, we -- and I use
6 the word we because I have about 18 years of sewer, water
7 and sewer operation experience.

8 Q. Yes.

9 A. We tend to presume what is on the lid is
10 correct unless we find some condition otherwise.

11 Q. Yes.

12 A. Looking at this, looking at both pictures
13 from the standpoint of a water and sewer operator, I would
14 pull that -- I would pull that cap in the top picture and
15 replace it with something else, with another form that did
16 not denote a particular type of water system.

17 Q. All right. If someone worked on the lines
18 or whatever's under this cap that says sewer on it as
19 though both lines were sewer lines, what are the -- what
20 kind of potential problems might occur?

21 A. Well, first of all, if they were doing any
22 work on what they presumed was a sewer line, they would
23 get a quick education when they cut into that water
24 service line. It's not sewer and they're going to get a
25 face full of potable water, not to mention an upset to the

1 system, a potential drainage problem, contamination
2 problem. But if a person were working on those two lines
3 and made the assumption they were both sewer, then you
4 could end up with a cross connection. It's undoubtable.

5 Q. Okay.

6 A. However, if I may, I will go on to say that
7 most people working in the water and sewer field coming on
8 a situation like this would do a little more investigation
9 before they started arbitrarily cutting into the lines.

10 Q. Yes.

11 A. They would want to know exactly what was
12 down there, because it says there's only supposed to be
13 sewer here, and one line certainly could be identified as
14 a possible sewer service. The other one would be real
15 questionable. If I were walking up on this situation and
16 I saw two lines in that meter pit, I would do a little
17 further excavation -- or exploration before I started
18 putting a hacksaw to anything.

19 Q. And that would be you based with 18 years
20 of experience, correct?

21 A. Right.

22 Q. Someone who might be working for one of
23 these entities for the first time might not have that same
24 degree of knowledge?

25 A. First time, yes.

1 Q. And that would be part of your concern
2 about even the cross connections occurring?

3 A. Absolutely.

4 Q. That would mean the water was flowing into
5 the sewage line and the sewage line was flowing into the
6 water line; am I correct?

7 A. That is correct.

8 MR. MILLS: Judge, I don't know if
9 Commissioner Gaw is aware that -- this witness has
10 expressed some difficulty understanding what some of the
11 things are in these pictures. I'm not sure Commissioner
12 Gaw is aware that Ben Pugh, who is a sworn witness in this
13 case, took those pictures and is available here today and
14 could perhaps more fully explain exactly what's depicted
15 there, if that would help in this questioning. Thank you.

16 COMMISSIONER GAW: And I am not totally
17 aware -- my concern would be whether or not that has --
18 there's sufficient testimony in the record that identifies
19 those things in these pictures to the level that you've
20 just described.

21 MR. MILLS: And there is some, but of
22 course, Mr. MacEachen hasn't had the opportunity to hear
23 that, so as he is trying to answer, he doesn't know what's
24 been identified, what hasn't been identified. That's why
25 I brought that point up.

1 COMMISSIONER GAW: I appreciate it. I'm
2 going to rely on the parties here to properly authenticate
3 and identify these things, because I wouldn't expect
4 Mr. MacEachen to be able to do that. I'm asking him
5 questions as assumptions, hoping that there is sufficient
6 evidence from others that do take care of the
7 authentication issue with the pictures, and that is an
8 important point. So hopefully that's already been done or
9 will be done.

10 BY COMMISSIONER GAW:

11 Q. Let's go to the next page of the exhibit.
12 I believe this is the final page of the exhibit. Do you
13 see that?

14 A. Yes, sir.

15 Q. There are two pictures on that page. Do
16 you see that?

17 A. Yes.

18 Q. Both of them refer to either a Don Bracken
19 property or Bracken property. Do you see that on there?

20 A. Yes, sir.

21 Q. Can you tell me what those pictures appear
22 to be, if you know from looking at them?

23 A. Not with absolute certainty.

24 Q. Okay. Well, if we -- if we were to assume
25 that those are -- those lines in those two trenches there

1 are water and sewer service lines, can you make that
2 assumption for me?

3 A. Yes. Well, they are lines in the ground.
4 Whether or not they're service lines, I can't make that
5 assumption.

6 Q. Could they be mains?

7 A. They look a little small. Particularly the
8 lower ones definitely look too small to be water mains or
9 sewer mains, even sewer mains with grinder pumps on them.

10 Q. All right. So if they were water and sewer
11 lines of some sort, do you see anything in those pictures
12 that causes you concern?

13 A. Assuming that -- let's talk about the
14 picture on the left first.

15 Q. That would be great. Thank you.

16 A. The one marked Don Bracken property, if we
17 make the assumption that the larger diameter line is, in
18 fact, the sewage service line, once again, I see glued
19 joints, which certainly represents a potential for leakage
20 and possible contamination of soil. The lines directly
21 under that larger line I would assume is a water service
22 line and, once again, we have glued joints, what appear to
23 be glued joints, which represent a potential site for
24 infiltration of sewage into the water system.

25 As I stated earlier, so long as there was a

1 positive pressure of 20 pounds or more, it would not be a
2 contamination concern, but if for any reason the water
3 system lost pressure or dropped below the 20 pounds, then
4 yes, there certainly is a potential for cross
5 contamination of potable water supply with the sewer
6 supply, sewer waste lines.

7 Another thing that I observe here is that,
8 once again, it's hard to -- it's hard to delineate what
9 the material is bedded in. I know this is not immediately
10 associated with the pipes themselves, but bedding becomes
11 a very important, almost a critical matter for the
12 long-term expected life of pipe and the prevention of
13 leaks, leak sites where infiltration or cross connection
14 can occur.

15 I would assume that what I'm seeing here is
16 gravel as a base. Well, gravel might be fine for sewer,
17 but I wouldn't bury water lines, particularly glued joint
18 plastic lines in gravel. I just wouldn't do it.

19 Q. And why not again? I know this is obvious
20 to you.

21 A. The gravel represents an abrasion point for
22 the water lines. You have two forces working. You have
23 the abrasion on the outside of the pipe, you have the
24 pressure on the inside of the pipe. At some point the
25 abrasive nature of gravel, which is greater than sand,

1 which is what I -- if I were putting this job in, I would
2 bury both lines in sand because it's much better and gives
3 a much longer life. The gravel -- with the pressure
4 coming from the inside, the gravel abrading on the
5 outside, at some point, at some time, probably
6 prematurely, the water line is going to break. Then, of
7 course, as I mentioned, glued joints and the unbendingness
8 of the glued joints, it's not best installation. I'll
9 readily admit that.

10 Q. In fact, would you say this is not -- this
11 is not installation that should be done?

12 A. I would agree with that, yes.

13 Q. All right.

14 A. Yes.

15 Q. Do you want to go to the second picture
16 yet?

17 A. The picture on the right?

18 Q. Yes.

19 A. I see several problems similar to the
20 previous picture. It's a little hard to tell, are they --
21 are they both the same area looking from different
22 directions?

23 Q. It's very difficult for me to tell you
24 that. Perhaps someone on cross-examination will be able
25 to enlighten us in some fashion.

1 A. Irregardless -- irregardless, I see several
2 problems. Once again, the gravel issue for the bedding
3 material. The second problem I see is the evidence -- the
4 apparent evidence of some glued joints, which is a
5 distinct problem and certainly a source of premature
6 failure. I'm also a little concerned on the larger
7 diameter line about a little over halfway up the
8 photograph from bottom to top, there's a bend in that
9 pipe, and it does not appear to be a glued joint bend; in
10 other words, using a 45 degree angle. It appears somebody
11 just bent the pipe, heated it up and bent it to conform to
12 where the -- the direction they needed it to go.

13 Q. Is that a problem?

14 A. That is definitely a problem because of
15 stress factors on the pipe.

16 Q. What could happen as a result?

17 A. Blow out. When you stress plastic pipe,
18 you weaken the structural integrity of the plastic and
19 that serves to produce a wonderful point for leakage.

20 Q. Okay. Wonderful being used very loosely?

21 A. Very loosely.

22 Q. Anything else in that picture that you see
23 that's problematic that you want to note?

24 A. I think the only thing I would note is that
25 I would never put this type of -- I would never put lines

1 in like this. It's just begging for problems. It's
2 cheap.

3 COMMISSIONER GAW: Thank you, sir. I think
4 that's all I have right now.

5 JUDGE STEARLEY: Commissioner Appling, do
6 you have any questions?

7 QUESTIONS BY COMMISSIONER APPLING:

8 Q. Good morning, sir.

9 A. Good morning, sir.

10 Q. One question.

11 A. Sir.

12 Q. Is there anything in the files at DNR at
13 the present time that is still outstanding against Big
14 Island that you-all have?

15 A. We have not -- we have not performed a
16 final inspection, and -- at this point in time and
17 identified that everything is correct for those portions
18 of the system that we have regulatory authority over.
19 That has yet to be done. And I'm not sure why that has
20 not been done, partially because the engineer -- we have
21 two ways of certifying a project. Either we do it by
22 direct observation by Department staff or we also allow
23 the engineer, the entities, the owner's engineer to submit
24 certification that the system was installed according to
25 the approved plans and specifications covered by the

1 construction permit.

2 I do not believe that the engineering firm
3 has done that, and we are still awaiting the -- in part
4 the resolution of this issue to know who to issue the
5 reports to and deem the project complete.

6 Q. You mentioned this morning that you would
7 not put glued pipes underground?

8 A. That is correct.

9 Q. What is the correct way of doing that, a
10 better way than gluing?

11 A. Well, certainly the best way is to -- if
12 they're going to use small diameter pipe, we would
13 generally recommend, as I mentioned earlier, the 160-pound
14 burst rated pipe that usually comes in fairly long rolls.
15 Therefore, you would connect the pipe to the service
16 connection or to the main, to the water main, and then
17 roll a continuous length of pipe to the point where a
18 shutoff would be installed or on into the house, if there
19 was to be no shutoff installed.

20 The other way to install pipe would be to
21 use what we call compression joints, compression joint
22 fixtures. For instance, the water shutoff valves to cease
23 or to shut the supply to the house of potable water off,
24 have a mechanical joint -- threaded joints on either end
25 of the fitting that when you tighten those joints, they

1 compress a ring, a rubber gasketing material against the
2 pipe and establish an almost 100 percent seal that is
3 protected by the fitting itself and the compression
4 couplings that are used to tighten that joint in. It's a
5 much more reliable means of connection of valves.

6 They also make a connection or a device
7 that uses the same principles to connect two pieces of
8 pipe together. But you just don't put glued pipe in the
9 joint. It's begging for a problem.

10 COMMISSIONER APPLING: Thank you very much,
11 sir. Thank you very much for volunteering to come over
12 today.

13 THE WITNESS: Yeah. I was going to say,
14 I'm not sure about the level of volunteering, but --

15 JUDGE STEARLEY: At this point,
16 Mr. MacEachen, I would open you up for cross-examination
17 based upon the questions from the Bench.

18 Since Mr. MacEachen is a new witness, we
19 hadn't set an order for that. I will direct the order to
20 be cross-examination by Complainants first, followed by
21 OPC, followed by Staff, followed by 393 companies and
22 finally Folsom Ridge and the Association.

23 So we will begin with Complainants,
24 Ms. Orler?

25 MR. MILLS: Your Honor, before you go down

1 that procedure, if it is going to be your ruling that
2 Mr. Comley will be allowed to read from Mr. MacEachen's
3 deposition, even though he's sitting here in the courtroom
4 with us, I would request that that be done first so that
5 we can hear what Mr. Comley wants to use sort of in lieu
6 of direct examination before we do our cross-examination
7 of this witness.

8 MR. COMLEY: I would prefer to just talk to
9 Mr. MacEachen and see if he says the same things the other
10 time I had the chance to talk to him.

11 JUDGE STEARLEY: And that would still
12 reserve your rights as far as entry of excerpts from the
13 testimony of Mr. Finn.

14 MR. COMLEY: I'll do my best to ask the
15 same questions, and I suspect Mr. MacEachen will do his
16 best to give the same answers.

17 MR. MILLS: So it's my understanding
18 Mr. Comley is not planning to read from Mr. MacEachen's
19 deposition?

20 MR. COMLEY: I will in the event if it's
21 for the purposes of impeachment.

22 MR. MILLS: I have no problem with that.

23 JUDGE STEARLEY: All right. We will add a
24 round of recross if the parties wish to recross, but we
25 will go in that same procedural order. And we will now

1 begin with cross-examination by Complainants, beginning
2 with Ms. Orler.

3 CROSS-EXAMINATION BY MS. ORLER:

4 Q. Good morning, Mr. MacEachen.

5 A. Good morning.

6 Q. Do you recall a series of meetings that
7 were scheduled between myself and Mr. Pugh and there may
8 have been some other residents, between yourself and other
9 members of your department on Big Island at Mr. Pugh's
10 home?

11 A. I do recall that we have had meetings, yes.

12 Q. And can you tell me the nature of probably
13 the last two or three meetings that were held?

14 A. No, I cannot, regrettably.

15 Q. I'll try to phrase my question to maybe
16 help you. Would the purpose of those meetings have been
17 to bring to the attention of the DNR personnel that
18 attended those meetings that formal complaints had been
19 filed with the Public Service Commission?

20 A. Yes.

21 Q. Okay.

22 A. Yes.

23 Q. And now that maybe you're recalling some of
24 that, can you remember some of the topics that were
25 discussed in those meetings?

1 A. I believe that we covered a wide range of
2 topics, primarily focused around the installation of the
3 systems, both water and sewer. I believe we had
4 discussions about the governance of the -- or rather the
5 ownership, potential ownership of the water and sewer
6 systems and the concerns that the -- that you and the
7 other folks that were at the meeting had in the method to
8 provide us with information about what your concerns were
9 and what you had witnessed.

10 Q. That's -- that's very accurate. Were there
11 also some concerns expressed that construction permits had
12 been issued? And this is a document that was just
13 referenced and entered into evidence.

14 MS. HEINTZ: Excuse me, your Honor. I
15 don't mean to interrupt, but could we have her comment
16 that Mr. MacEachen's answer was very accurate stricken
17 from the record? I believe that is testimony.

18 JUDGE STEARLEY: That is testimony and it
19 shall so be stricken.

20 MS. ORLER: I apologize.

21 BY MS. ORLER:

22 Q. Referenced earlier was the Big Island West
23 construction permit issued in 2000, and I didn't get the
24 exhibit number on that.

25 A. When you say Big Island West, was that the

1 off-island portion?

2 Q. No. It was issued in the year 2000, the
3 Big Island West expansion, construction permit to expand
4 the sewer system?

5 A. You may have -- you may have brought that
6 to our attention. I do not remember specifics of any
7 conversation on that.

8 Q. Was one of the concerns regarding the
9 issuance of that permit to expand the Big Island West
10 sewer portion a concern that that permit had been issued
11 without the expansion to the current 80-bed sand filter
12 system being completed?

13 MS. HEINTZ: Excuse me. I'm going to
14 object to that question as well. Mr. MacEachen has
15 testified he doesn't remember the permit process for the
16 extensions.

17 JUDGE STEARLEY: I shall sustain that
18 objection.

19 THE WITNESS: I know we had discussions.

20 JUDGE STEARLEY: Mr. MacEachen?

21 THE WITNESS: Yes, sir.

22 JUDGE STEARLEY: I sustained the objection.
23 You are not to answer.

24 THE WITNESS: I'm sorry.

25 BY MS. ORLER:

1 Q. Did we bring to your attention that that
2 issue, that that application might have been issued
3 without the proper expansion being added to the sand
4 filter bed system?

5 MS. HEINTZ: Your Honor, I'm going to
6 object on the same grounds as my previous objection.

7 JUDGE STEARLEY: I believe that is correct.
8 It's already been testified to, and I shall sustain that
9 objection.

10 BY MS. ORLER:

11 Q. Was the question brought up during these
12 meetings that, by the authority vested with the DNR to
13 issue permits, did DNR not have the same authority to also
14 revoke those permits if those requirements were not being
15 met?

16 A. I do -- I seem to recall, yes, that we did
17 have some discussion on that.

18 Q. And can you tell me what DNR's position was
19 on that?

20 A. I believe that we informed you that, as we
21 have the right to revoke, we also have the right to
22 suspend.

23 Q. So you're recalling that DNR did say that
24 they had the authority to revoke?

25 A. I believe I -- I believe that was the gist

1 of the conversation.

2 Q. Okay. Now, referring to the settlement
3 agreement that you referred to earlier, is that what you
4 referred to when you said that nothing had been formally
5 signed off on?

6 A. What I was referring to was any penalties
7 or -- not penalties, I'm sorry, Notices of Violation,
8 formal Notices of Violation from the drinking water
9 branch. I believe that the settlement agreement, while it
10 did contain information -- contain reference and criteria
11 relative to a drinking water system, penalties were
12 primarily -- any penalties were primarily controlled by
13 the water pollution control branch. Drinking water did
14 not seek penalties. I believe water pollution control
15 did.

16 Q. Would you be notified of those penalties?

17 A. Yes.

18 Q. Is there any correlation between the
19 departments?

20 A. We would -- as part of the settlement, a
21 copy of the settlement agreement to us, we would certainly
22 see that that was a portion of the settlement agreement.

23 Q. Were you aware that any fines associated
24 with the settlement agreement were paid?

25 A. I believe that there's a document in the

1 files indicating that the penalty had been paid.

2 Q. Are you aware of any negotiations done on
3 behalf of Folsom Ridge to pay a lesser amount?

4 MS. HEINTZ: Your Honor, I lodged an
5 objection to this line of questioning yesterday on
6 relevance grounds, and I believe that objection was
7 sustained. I renew the objection now.

8 JUDGE STEARLEY: That objection shall be
9 sustained. I don't see how negotiations over settlement
10 amounts are relevant.

11 MS. ORLER: Okay. I apologize.

12 BY MS. ORLER:

13 Q. Mr. MacEachen, can you tell me prior to the
14 construction of the central utility -- prior to the
15 construction of central utility on Big Island, was there
16 any other central utility system prior to the construction
17 of the present utility system by Folsom Ridge?

18 A. I'm not -- I'm not aware that there was.
19 There may have been at some point in time, but not to the
20 extent that there currently is a system, simply because if
21 there was a system prior to the existing system, we did
22 not know -- drinking water branch did not know about it,
23 it may not have met the definition of a public water
24 supply, or we might not -- we may not have found the
25 facility.

1 Q. So would it be fair to assume, then -- and
2 I'll probably get an objection because I'm going to make a
3 reference to service lines -- that the service lines that
4 are in question today, that there were no other service
5 lines in existence prior to the ones in question today?

6 MS. HEINTZ: And I will object. This is
7 not relevant again.

8 JUDGE STEARLEY: Mr. Comley?

9 MR. COMLEY: Also misstates the record.
10 The record has already indicated by direct testimony of a
11 witness that those service lines were in existence.

12 JUDGE STEARLEY: I shall sustain.

13 BY MS. ORLER:

14 Q. If there was not a central utility in
15 existence prior to the utility that Folsom Ridge
16 constructed, would there be pre-existing service lines
17 that had both water and sewer installed correctly or
18 incorrectly in a trench?

19 A. If there were, I have no knowledge of that
20 fact.

21 Q. Okay. Now, as a part of your answer, you
22 indicated earlier that part of the determination for
23 ownership of service lines is referenced in the amended
24 and restated covenants and conditions?

25 A. I'm assuming that there would be, since

1 that's typically where I have seen such reference in other
2 subdivisions, not just Big Island.

3 MS. ORLER: Okay. I'm still learning at
4 this process. I know this was entered into evidence
5 yesterday by the Respondents. It's a copy of the amended
6 and restated declarations of the covenants and conditions,
7 but I don't know the exhibit number.

8 May I show this to Mr. MacEachen?

9 JUDGE STEARLEY: No. We need to identify
10 the exhibit, and it appears your exhibit has markings on
11 it and I need a clean copy of that exhibit.

12 MR. COMLEY: Your Honor, it's on the back
13 of Ms. Brunk's direct testimony and I have no objection to
14 reference to that, if she can find it. It's, I think,
15 Mrs. Brunk's Schedule 4.

16 JUDGE STEARLEY: If we can find a clean
17 copy of that.

18 MS. ORLER: May I take this to
19 Mr. MacEachen?

20 JUDGE STEARLEY: If you will bring it to me
21 first. All right. Yes, you may.

22 BY MS. ORLER:

23 Q. Mr. MacEachen, can you please read
24 Article 1, Section 1 with regards to access easements,
25 please?

1 A. Access easements shall mean and refer to
2 those access easements upon the property or lots necessary
3 to perform the duties and functions of the association, so
4 as to permit it to operate the water system and sewer
5 system. Also such rights, privileges and easements shall
6 be nonexclusive easements over and across the lots for
7 purpose of permitting the operation of water system and
8 sewer system.

9 Q. Thank you. Now, could you please turn to,
10 this will be page 4 of BB Schedule --

11 A. I have it.

12 Q. Okay. Can you familiarize yourself with
13 Section 2, please?

14 A. All right.

15 Q. Thank you. I know this is rather lengthy.
16 There's several sentences and -- just a couple of very
17 lengthy sentences, but could you read beginning with
18 Section 2 and finishing the first two sentences that end
19 just prior to the word damage, please.

20 MR. COMLEY: Your Honor, I'll object to the
21 use of this document and having the witness read from it.
22 It has already been admitted into the record and it speaks
23 for itself. Mr. MacEachen does not need to read it any
24 further.

25 JUDGE STEARLEY: I will agree and sustain

1 that objection.

2 Ms. Orler, you may ask questions regarding
3 what is there, but the document is in evidence and we
4 don't need to have a separate rendition of that entire
5 passage.

6 MS. ORLER: Thank you. I apologize.

7 BY MS. ORLER:

8 Q. Does Section 2 indicate that the
9 Association shall have the right to access each lot for
10 any maintenance or repairs that the Association is either
11 obligated to or likes to perform?

12 MR. COMLEY: Your Honor, I'm going to
13 object again. I think that the terms of the document
14 speak for themselves, and having Mr. MacEachen interpret
15 them is really beyond his experience and expertise in
16 connection with this case.

17 JUDGE STEARLEY: I would agree. I believe
18 that's calling for a legal conclusion, and I will sustain
19 that objection.

20 BY MS. ORLER:

21 Q. Let's move to Section 3, and could you
22 please familiarize yourself with that paragraph, please?

23 A. All right.

24 Q. Thank you. If an owner has the
25 responsibility for their service lines, has the

1 responsibility for service lines that are lying within the
2 interior of their lot but there is a portion of service
3 lines outside that lot area, would it then be the
4 company's responsibility?

5 MR. COMLEY: I'll object on grounds that
6 this is asking the witness for an interpretation of a
7 restricted document on record at Camden County. I
8 don't think the witness is qualified to make that
9 interpretation, and again, I think the Court is correct,
10 legal conclusions are being sought from this witness.

11 MR. MILLS: Your Honor, Commissioner Gaw
12 asked the very same type of question with respect to
13 whether DNR would be concerned if a portion of a service
14 line was in the responsibility of the company. I think
15 this is a fairly similar question, and there certainly was
16 no objection to Commissioner Gaw asking it, and I think to
17 the extent it was relevant then, it's relevant now.

18 MR. COMLEY: If it's been asked and
19 answered, there's no need to ask it again.

20 MR. MILLS: It's not the exact same
21 question.

22 JUDGE STEARLEY: I think that's the exact
23 issue, Mr. Mills. It's not the exact same question. The
24 concerns of Mr. MacEachen from the DNR's perspective are
25 not the same as interpreting a legal contract and asking

1 for a legal opinion. I will sustain the objection.

2 MS. ORLER: May I ask a question, your
3 Honor?

4 JUDGE STEARLEY: Of whom?

5 MS. ORLER: Of you.

6 Mr. MacEachen earlier testified to the fact
7 that if there was a portion of the service line that --
8 the question that Commissioner Gaw asked Mr. MacEachen was
9 with regards to whose responsibility these services lines
10 were, and Mr. MacEachen's answer was it would be dependant
11 upon the reading of covenants and conditions to make that
12 determination. So I'm trying to find out from
13 Mr. MacEachen, according to this, what DNR's
14 responsibility is.

15 JUDGE STEARLEY: Mr. MacEachen has already
16 testified as to what DNR's responsibility is with regards
17 to the service lines.

18 MS. ORLER: Is that not determined by this
19 (indicating)?

20 JUDGE STEARLEY: That's determined by DNR.
21 He's already testified to that fact.

22 MS. ORLER: All right. Thank you.

23 BY MS. ORLER:

24 Q. That's all concerning this document.

25 I think this question could be similar to

1 what Commissioner Gaw had asked. If a design is submitted
2 to you and approved, but then upon construction is not
3 followed, how are you made aware of that?

4 A. Generally we are made aware of that by
5 inspection by field staff, periodic inspection by field
6 staff of the level of construction, the point at which
7 construction has progressed. As an alternative, the
8 company's engineer, a certified professional engineer, can
9 also make that same finding and report it to the
10 Department of Natural Resources.

11 Q. Is that an independent engineer?

12 A. He works for the company, but he is a
13 licensed professional engineer and he has -- as part of
14 his licensing, he has an obligation -- he will be
15 certifying, it will be his name on the signoff sheet. He
16 will be attesting to the fact that the project was
17 constructed as approved. If he does not follow his
18 professional ethic, then he may have issues with the
19 licensing board.

20 I would assume that irregardless of who the
21 engineer works for, as a professional, he would provide
22 factual information that would be consistent with his
23 ethical and legal requirements as a certified -- as a
24 registered engineer.

25 Q. Thank you. Now, with regards to the

1 periodic checks, field checks that DNR does, what
2 frequency is periodic?

3 A. We don't establish after so many days
4 typically. There is an -- because of -- because of
5 staffing constraints, because of the number of projects
6 assigned to a particular regional office to oversee, there
7 may not be an established schedule of frequency.

8 Q. Thank you. So with regards to the DNR
9 violations that have been associated with the system on
10 Big Island, can you tell me how DNR has been made aware of
11 those violations? Has it been through these routine
12 periodic field checks? Has the majority of those
13 violations been made to DNR by residents or homeowners?

14 A. I would say the majority of the reports --
15 and I hesitate to use the word reports because report
16 implies that it was an official communication,
17 Department-sanctioned communication. I would say that the
18 residents have done the substantial portion of the
19 reporting of concerns --

20 Q. Very good.

21 A. -- to the Department. And in light of
22 those concerns, we certainly have, to the best of my
23 knowledge, as quickly as possible provided a staff
24 engineer or a field representative to go out and examine
25 the facts of the case or the facts of the issue reported.

1 Q. Thank you. You discussed pressure on the
2 system following -- you discussed the pressure of the
3 system falling below 20 pounds of pressure. And you are
4 made aware of this pressure loss through reporting
5 mechanisms; is that correct?

6 A. Yes.

7 Q. And from whom do you receive these reports?

8 A. We generally receive those from either the
9 owner of the system or from the certified operator who is
10 operating the system. We -- because of staff limitations
11 and the number of systems being regulated, we have to rely
12 on -- we have to rely on systems self-reporting when
13 problems arise.

14 If, on the other hand, we received a
15 complaint from a resident or a person occupying a service
16 connection or using a service connection, we, of course,
17 will follow up on that complaint, do a complaint
18 investigation, make recommendations, determine what the
19 problems are, make recommendations to the owner or
20 responsible party on the system.

21 Q. Now, with regards to the reports that you
22 receive either from the company or from the person or
23 contractor in charge of that, what would you say the lag
24 time is between the time that the report is generated and
25 the time that it's received by DNR and reviewed?

1 A. I don't know that I can put so many hours,
2 so many days. It depends. It depends on several factors,
3 chief of which is how many other complaints are -- not
4 necessarily associated with the Complainants' system, but
5 how many complaints we're receiving, how many other
6 problems we're having with other systems.

7 Generally we try to get to complaints as
8 quickly as we can. Of course, we have to establish a
9 hierarchy of importance, if you will. Certainly an
10 identified, fully recognized and established exceedance of
11 a maximum contaminant level for bacteriological quality is
12 going to take a higher priority than a leaking service
13 line, to use two examples. We try to get to all
14 complaints as quickly as possible, but we don't have a set
15 number of days, hours, as policy.

16 Q. Just hypothetically as a ballpark, are we
17 talking about a week? Would we be talking about 30 days,
18 60 days?

19 A. Hopefully it would not be more than a week,
20 and in most cases it is less than several days, two days
21 or less. But a lot of it depends on what the conditions
22 are at the time for the regional office staff, the field
23 staff and what problems they're already encountering and
24 dealing with. We like to believe that we're always there
25 within hours, but the reality of the situation is there's

1 not enough of us to be there within hours in all
2 occasions.

3 Q. All right. Thank you. Now, can you tell
4 me what would be an alerting factor or what would trigger,
5 then, after having received so many reports of low water
6 pressure that would prompt DNR then to do something
7 further with regard to correcting that issue?

8 A. Are you asking how many times --

9 Q. Either --

10 A. -- complaints would have to be lodged?

11 Q. Yes. If you're receiving reports regularly
12 and monitoring or reviewing these reports regularly, what
13 about these reporting mechanisms might trigger further
14 action by DNR?

15 A. I don't think we -- I don't think we set a,
16 well, you've got to have five reports before you go out.

17 Q. Thank you.

18 A. We try as much as possible to respond to
19 each report of a concern in a manner and a time frame as
20 quickly and as fully as we can.

21 Q. And then when you go out, as you say, are
22 you contacting then either the contractor or the company
23 to meet you there and discuss the nature of your visit in
24 going out?

25 A. Our first -- our first contact would be the

1 person making the complaint to identify exactly what the
2 complaint was, what the situation was. We would follow up
3 on the areas of complaints as expressed, and then go to
4 the owner or the contractor with the concerns, with our
5 concerns as well as the residents' concerns.

6 There may be times when we do not do that
7 immediately because of other situations, and because it
8 may not be as important as, for instance, a system-wide
9 fecal coliform contamination. I'm sorry, but we're going
10 to drop your complaint. We won't forget it, but we will
11 drop your complaint if we have to rush to a site where a
12 community is in danger of microbi-- complete
13 microbiological contamination.

14 Q. Is the Lake area somewhat unusual in that
15 there are heavy usage periods, let's say, for example, on
16 holiday weekends versus through the week? Is that
17 something you can address?

18 A. Well, I would have to -- I would have to
19 say that there certainly is a greater potential on
20 weekends at Lake of the Ozarks, but that potential also
21 exists at Lake Taneycomo, Lake Pomme de Terre. Anywhere
22 there's a recreational -- where recreation is the focus of
23 activity, yes, weekends are going to have higher levels of
24 usage. Will that higher level of usage produce higher
25 potential for problems? In some cases, yes. In some

1 cases, no.

2 Q. And would that also equate to maybe a
3 little bit more lengthy lag time in the reporting simply
4 because it's holidays, weekends --

5 MS. HEINTZ: Your Honor, I'm going to
6 object to this line of questioning. I don't see the
7 relevance of the lag time of the DNR responding to its
8 complaints and whether or not the area that it's
9 responding to is recreational is relevant to the issues to
10 be decided by the Commission.

11 JUDGE STEARLEY: Ms. Orler, your response?

12 MS. ORLER: I think it is very relevant,
13 your Honor, and I made that comment in my opening remarks,
14 that for us living in areas that are recreational areas,
15 this is a given. And I can tell you from living where I
16 live that you can almost expect --

17 MS. HEINTZ: Your Honor, this is testimony.
18 This is not responding to the relevancy issue.

19 JUDGE STEARLEY: I would agree, and perhaps
20 I can help. The adopted issues list in this case involves
21 determining the Public Service Commission's jurisdiction,
22 if there needed to be a certificate of need and necessity
23 issued, and with regards to the transfer of the utility.
24 An in-depth exploration DNR's response times to calls I
25 don't see how relates to the issues that are before the

1 Commission.

2 MS. ORLER: I think I can rephrase my
3 question.

4 JUDGE STEARLEY: All right. You may
5 rephrase.

6 BY MS. ORLER:

7 Q. Based on your answer, if you do see an
8 increase during weekend summer hours, would that then be a
9 responsibility going back to the company and the
10 contractor responsible for the loss of water pressure to
11 put some type of protocol in place to be proactive to this
12 situation?

13 MS. HEINTZ: Again, your Honor, I don't see
14 the relevance of this questioning to the issues being
15 decided by the Commission.

16 JUDGE STEARLEY: I don't either, and I'm
17 not sure Mr. MacEachen could answer that question. I will
18 sustain it.

19 BY MS. ORLER:

20 Q. You mentioned shutoffs also earlier. Can
21 you tell me the purpose of shutoffs within our utility
22 system that we have on Big Island?

23 A. My previous reference to shutoffs was a
24 mechanical device by which you can restrict or cancel the
25 flow, stop the flow of water from the public system into a

1 privately owned -- a privately owned facility.

2 Q. And under what conditions might that be
3 necessary?

4 MS. HEINTZ: Your Honor, I'm going to put
5 in another relevance objection here.

6 JUDGE STEARLEY: Before I rule on that
7 objection, would you please repeat the question for me,
8 Ms. Orlor?

9 MS. ORLER: I can. Under what conditions
10 might it be necessary to utilize a shutoff valve?

11 May I tell you the reason why I'm asking
12 about shutoff valves?

13 JUDGE STEARLEY: Give me an idea of where
14 you're going with this line of questioning

15 MS. ORLER: Engineering staff have already
16 determined that we are lacking in shutoff valves with our
17 system.

18 JUDGE STEARLEY: I don't believe that is
19 evidence in record. I'm going to sustain the objection.

20 MS. ORLER: It's been provided in
21 testimony.

22 JUDGE STEARLEY: Whose testimony is this
23 provided in?

24 MS. ORLER: Martin Hummel's.

25 JUDGE STEARLEY: Martin Hummel did not

1 offer testimony in this case.

2 MS. ORLER: It was part of Jim Merciel's
3 testimony.

4 JUDGE STEARLEY: I don't believe what's
5 attached to Mr. Merciel's testimony is additional
6 testimony. I believe there's an investigative report.

7 MS. HEINTZ: And at this point
8 Mr. Merciel's testimony has not been offered or received
9 into evidence.

10 JUDGE STEARLEY: That's correct. And you
11 also have the opportunity to cross-examine Mr. Merciel.

12 MS. ORLER: All right. I apologize. I
13 would like to try to ask one more question.

14 BY MS. HEINTZ:

15 Q. With regards to shutoff valves, if they are
16 buried, does that have an effect on their capability?

17 MS. HEINTZ: Your Honor, I again will raise
18 a relevance objection to this question.

19 JUDGE STEARLEY: Ms. Orler?

20 MR. MILLS: Your Honor, I assume that
21 Ms. Heintz is prepared to and will be offering testimony
22 in this case that talks about shutoff valves. For her to
23 object to its relevance when she's going to be offering
24 Mr. Merciel's testimony that has a report attached to it
25 that talks about a deficiency in shutoff valves to me is

1 somewhat disingenuous, unless she's not planning to offer
2 that portion of the testimony.

3 MS. HEINTZ: Your Honor, we are being asked
4 to -- the Commission is being asked to decide whether, A,
5 it has jurisdiction over Folsom Ridge and, B, whether or
6 not the transfer of assets to the 393 companies is
7 appropriate and in the public interest.

8 Shutoff valves have nothing to do with
9 either one of those issues.

10 MS. ORLER: May I respond?

11 JUDGE STEARLEY: Yes, Ms. Orler.

12 MS. ORLER: Mr. McDuffey testified
13 yesterday to questions asked of him by Commissioner Gaw
14 with regards to shutoff valves and the Stoyer Springs
15 leak.

16 MR. COMLEY: That's a mischaracterization
17 of his testimony. I think the testimony was that there
18 are shutoff valves for each and every residence connected
19 to the system.

20 JUDGE STEARLEY: That was the testimony.
21 I'm going to sustain the objection.

22 MS. ORLER: Then that's all I have. Thank
23 you.

24 JUDGE STEARLEY: Thank you.

25 At this point in time we've been going

1 approximately two hours, but I am going to take a short
2 intermission at this time.

3 (A BREAK WAS TAKEN.)

4 JUDGE STEARLEY: All right. We are back on
5 the record, and I believe we just concluded with
6 Ms. Orler's cross. We're continuing with the
7 cross-examination of Mr. MacEachen from the Department of
8 Natural Resources. Mr. Pugh, it is your opportunity to
9 cross-examine.

10 CROSS-EXAMINATION BY MR. PUGH:

11 Q. Mr. MacEachen, we meet again.

12 A. Good morning.

13 Q. Commissioner Gaw covered pretty much
14 everything I intended to ask you today. I do have a few
15 questions that I don't think have been covered.

16 In your testimony with Commissioner Gaw,
17 you are -- you made it real aware that you're
18 knowledgeable about the initial start of the sewer and
19 water lines on Big Island without a permit back in late
20 1998?

21 A. Yes, I'm familiar with it.

22 Q. The question I want to ask you is in
23 reference to that. Do you have any idea how many -- well,
24 do you have any idea how many feet that they got before
25 they were stopped by Mr. Summerford?

1 A. No, I do not know the number of feet
2 specifically.

3 Q. Would 4,600 feet surprise you?

4 A. It would not surprise me. It would not
5 surprise me. I know that there was a substantial amount
6 of pipe laid. I don't believe I ever heard 4,600 lineal
7 feet, but I know there was substantial pipe laid.

8 Q. Is that -- in my opinion -- can't do that.

9 Is -- does it seem odd to you that they
10 could get 4,600 foot of pipe laid in without the DNR
11 inspection catching that?

12 MS. HEINTZ: Your Honor, could I raise a
13 relevance objection to this line of questioning? I don't
14 see how this is relevant to the issues being decided by
15 the Commission.

16 JUDGE STEARLEY: And I'm not sure that
17 4,600 feet are facts in evidence at this time.

18 MR. PUGH: Yes, sir, they are.

19 JUDGE STEARLEY: In what portion of the
20 admitted evidence?

21 MR. PUGH: It's in my evidence on
22 Schedule 2, which I don't have mine marked with your
23 numbers, but it's my second schedule. I think it's in a
24 letter from Mr. Jim Jackson.

25 JUDGE STEARLEY: All right.

1 MR. PUGH: About two pages back on
2 Schedule 2.

3 JUDGE STEARLEY: I do believe Schedule 2
4 was admitted. I have that marked as Exhibit 60.

5 MS. HEINTZ: Yes. And I believe this was
6 all admitted except for the technical drawing.

7 MR. PUGH: That is correct.

8 JUDGE STEARLEY: Okay. Very well. That is
9 facts in evidence. As far as its relevancy, though, if
10 you would please repeat your relevancy objection,
11 Ms. Heintz.

12 MS. HEINTZ: Yes. I don't see how many
13 feet of pipe got laid before DNR stopped the proceedings,
14 which apparently is what's being alleged here, is relevant
15 to the issues that are being decided by the Commission.

16 JUDGE STEARLEY: And I will sustain that
17 objection. The testimony has already been provided with
18 regard to DNR stopping the process and getting an
19 operating permit in, and the amount of pipe is not
20 relevant. So I will sustain.

21 BY MR. PUGH:

22 Q. In reference to one of two major sewer
23 leaks we had on Big Island, would you consider 76 days a
24 long time for -- a long time lapse before a repair?

25 MS. HEINTZ: Your Honor, I believe this

1 question assumes facts that are not in evidence.

2 MR. COMLEY: There's been no establishment
3 that there were sewer leaks.

4 JUDGE STEARLEY: I will sustain.

5 MR. PUGH: Your Honor I'm referring to the
6 Stoyer Springs leak from the filter bed. That's what I'm
7 basically referring to. Now, that has been in evidence.

8 JUDGE STEARLEY: If you'd like to ask a
9 specific question with regard to that, you may. It will
10 still be subject to any appropriate objections from the
11 other parties.

12 BY MR. PUGH:

13 Q. Referring to the Stoyer Springs leak, which
14 was a sewer leak --

15 MS. HEINTZ: Your Honor, I think that's
16 testimony. I object to that statement.

17 MR. COMLEY: Again, it's mischaracterizing
18 the testimony. It's his conclusion about what the
19 testimony was. It's argumentative.

20 JUDGE STEARLEY: Could you rephrase,
21 Mr. Pugh?

22 BY MR. PUGH:

23 Q. Are you aware that it took 76 days to
24 repair what is referred to as Stoyer Springs leak?

25 A. No, I am not.

1 Q. Would that be an excessive time for repair,
2 I mean, before -- a lapse between the time it was reported
3 to the time it was repaired?

4 A. I would say -- I would say that that is
5 excessive. I would have to know more about the situation.
6 It is a long time to respond.

7 Q. Thank you. That's all I was trying to find
8 out. In your testimony with Commissioner Gaw, you
9 referred to this bunch of pictures with the blue lines; is
10 that correct?

11 A. Yes.

12 JUDGE STEARLEY: Will Mr. MacEachen need
13 the pictures before him for your questions? If so, I've
14 got a copy right here.

15 MR. PUGH: Thank you. I appreciate that.

16 BY MR. PUGH:

17 Q. I felt that Commissioner Gaw went over this
18 pretty thoroughly, but I do have a couple of questions.
19 Let's go to the picture of the -- I think it's page 2 of
20 Big Island causeway, the view of the two roads.

21 A. I have it.

22 Q. If you as an engineer was installing this
23 sewer and water -- let me rephrase that.

24 If you as an engineer were reinstalling the
25 lines for separation, would you have put the water line

1 below the sewer line?

2 MR. COMLEY: I'll object to the question on
3 the ground there's no foundation for this witness as an
4 engineer.

5 JUDGE STEARLEY: I will sustain. And I
6 also believe Commissioner Gaw asked a similar question
7 which Mr. MacEachen responded to regarding the placement
8 of these lines based on what he could interpret from the
9 pictures.

10 BY MR. PUGH:

11 Q. Would you go to the next picture please,
12 the complete blue line going down the lower road?

13 A. Is this the picture you're referring to?

14 Q. Yeah. That's it.

15 A. Okay.

16 Q. Sorry about that. In your testimony with
17 Commissioner Gaw, you mentioned that an ideal situation is
18 for there to be virgin soil between the sewer and water
19 lines, or you didn't use the word virgin, compacted soil
20 or undisturbed soil, I guess is what you used; is that
21 correct?

22 A. What I used was undisturbed soil.

23 Q. That's right.

24 A. Yes.

25 Q. You mentioned undisturbed soil between the

1 sewer and water is much better than disturbed soil; is
2 that correct?

3 A. That is correct, yes.

4 Q. Okay. Are you aware that on the island --
5 the causeway -- I'm sorry -- on the causeway where these
6 blue lines are running down from -- or are running down
7 from the top road down to the lower road, that there's
8 probably -- I can't give you the exact amount -- there's
9 probably five lines which have disturbed soil?

10 MR. COMLEY: I'm going to object to the
11 question on grounds that it does assume that these facts
12 are true, and on that ground, I would object to the
13 question.

14 JUDGE STEARLEY: Mr. Pugh, would you like
15 to respond to Mr. Comley's objection?

16 MR. PUGH: I believe it's very pertinent to
17 the reason why we're here.

18 JUDGE STEARLEY: I believe Mr. Comley's
19 objection was it was assuming facts not in evidence. Am I
20 correct in that, Mr. Comley?

21 MR. COMLEY: My recollection is there has
22 been no testimony in the Complainants' case or I think in
23 any other testimony that establishes the number of areas
24 he's talked about in his question and where undisturbed or
25 disturbed soil might be in the causeway.

1 JUDGE STEARLEY: Mr. Pugh, I'm going to
2 sustain the objection. You can ask a question with
3 specificity to this picture that you're having the witness
4 examine.

5 BY MR. PUGH:

6 Q. This picture with the line running from the
7 top road down to the bottom road, when they file this --
8 when they fill over this blue line, is that a good place
9 for water or any liquid to travel, if there was -- there
10 was water in the upper -- in the upper road or sewage,
11 would that disturbed dirt make a good path to get down
12 into the water, the water line?

13 A. There's -- it's difficult to answer your
14 question based on what I have before me, the picture that
15 I have before me.

16 Q. Yes.

17 A. I do -- I don't know whether the soil
18 underneath that blue length of pipe going up what appears
19 to be a grade is undisturbed or it's been disturbed in
20 the past. Was this a trench that was dug down to a
21 certain level to place the blue line? I don't know. So I
22 can't -- I can't answer your question based on what I have
23 to work with here.

24 Q. Are you aware that there was no cutouts
25 before this reinstallation process?

1 MR. COMLEY: I'm going to object on the
2 same grounds. I don't know exactly what Mr. Pugh is
3 referring to as cutouts, and I don't know if there has
4 been any testimony that there are cutouts in the systems.

5 JUDGE STEARLEY: I will sustain.

6 BY MR. PUGH:

7 Q. Does disturbed soil make an easier path for
8 water or sewer water?

9 A. Yes. It has the potential to more readily
10 accommodate flow through the soil profile, very
11 definitely.

12 Q. Thank you, sir, finally.

13 JUDGE STEARLEY: Mr. MacEachen, is your
14 microphone turned on?

15 THE WITNESS: I think it is.

16 JUDGE STEARLEY: Okay. I was having a
17 little trouble hearing that response. I apologize.

18 THE WITNESS: Would you like me to repeat
19 my answer?

20 JUDGE STEARLEY: No, I've got it.

21 BY MR. PUGH:

22 Q. Mr. MacEachen, has the settlement agreement
23 been finalized?

24 A. Yes. As far as finalized, the settlement
25 agreement has been signed by all the parties. That would

1 be what I would define as finalized. Now, are you meaning
2 that or are you meaning has it been completed, all the
3 tenets or all the provisions of the settlement agreement
4 fulfilled?

5 Q. Why would you sign a settlement agreement
6 before all these tenets were fulfilled?

7 MR. COMLEY: Objection. It's
8 argumentative, and I don't think that -- I'm confused by
9 the question myself.

10 JUDGE STEARLEY: Could you rephrase,
11 Mr. Pugh?

12 BY MR. PUGH:

13 Q. You just stated that the settlement
14 agreement had been finalized with signatures, and I've got
15 the -- I got the impression from your answer that there
16 was other work to be done; is that correct?

17 A. If I understand your question correctly,
18 the settlement agreement is signed before any work is
19 done, because it lists -- if you will, it's a road map to
20 what must be done with key points at which items are to be
21 completed. So all parties have to sign and acknowledge
22 that they accept the terms of that settlement agreement
23 before anything commences, any work commences.

24 Q. Thank you, sir. That clears up for my own
25 knowledge. Thank you.

1 response to Mr. Comley's objection?

2 MR. PUGH: Yes, I do, your Honor. In some
3 of the testimony by I believe it was Mr. McDuffey, he
4 referred to the Big Island installation being done by
5 professionals, professional installers. I believe he made
6 some mention about professional installers would not have
7 any problem with manhole covers that weren't marked
8 properly and that is the relationship. That is what I'm
9 referring to.

10 JUDGE STEARLEY: All right. Ms. Feddersen,
11 could you please read back Mr. Pugh's questions.

12 (THE REQUESTED TESTIMONY WAS READ BY THE
13 REPORTER.)

14 JUDGE STEARLEY: I don't believe that that
15 prior testimony lays a proper foundation for that type of
16 question, and I'm going to sustain the objection.

17 MR. PUGH: Very good. I believe that's it.

18 JUDGE STEARLEY: Thank you, Mr. Pugh.

19 Cross-examination, Ms. Fortney?

20 CROSS-EXAMINATION BY MS. FORTNEY:

21 Q. I have just a couple questions for you.

22 Has the settlement agreement been fulfilled?

23 A. I don't believe that there has been a final
24 inspection as required by the settlement agreement, by DNR
25 engineers at this time.

1 Q. Is that the only remaining task?

2 A. So far as I know at this time, yes.

3 Q. Okay. Do you know when or about that might
4 be done?

5 A. I do not. I'm not aware that there has
6 been a scheduling established for that inspection.

7 Q. Earlier you were talking about the pipes
8 that were glued together and with water pressure loss that
9 there's a potential that over time there could be leaks.
10 Is there a way that you could detect those leaks before it
11 gets too far? Because it seems like those leaks might be
12 small until a certain amount of time and then, before you
13 know it, you wouldn't know it for a long time before the
14 problem is there. And if there's a lot of times like
15 that, is there a way to detect the water leak?

16 A. Before I answer, I need to ask a question
17 of you. Are you referring to water pipe or are you
18 referring to sewer pipe?

19 Q. Water.

20 A. All right. Water pipe there are methods by
21 which you can detect and locate -- or rather locate,
22 pinpoint and schedule repair of metallic pipe. Metallic
23 pipe will resonate a sound through the ground at the leak
24 point that can be picked up with earphones and what we
25 call a geoscope.

1 With plastic pipe such as this, it's not
2 easy to detect those leaks. Therefore, the only real way
3 on the majority of plastic line leakage to find the
4 location of a pipe leak, unless you've got a really good
5 leak locater and detector, is to wait for it to surface,
6 and some of those leaks may never surface until you have a
7 full rupture of the pipe and a massive quantity of water
8 coming out.

9 Q. So that's the only way that you would be
10 able to detect it?

11 A. In this particular case, I would say that's
12 true. If, on the other hand, the service lines and the
13 mains were metallic, cast-iron water mains, copper service
14 lines as I said, they will resonate a sound that can be
15 picked up by geophones, geoscopes, and a trained leak
16 detection specialist can pinpoint those types of leaks
17 pretty quickly.

18 Q. Would water meters help?

19 A. Water meters would definitely help, yes.
20 Water meters become a very critical issue in the
21 management of a water system, primarily because if you --
22 you should have a water meter at the well, at the source
23 that measures so many gallons or cubic feet in a given
24 period of time. Then you can compare that with the number
25 of gallons or cubic feet billed for in that same period of

1 time. If, for instance, you pump a million gallons of
2 water out of the ground to the system and you're only
3 billing for 500,000 gallons, then you've got a leak and
4 it's a pretty substantial leak.

5 MS. FORTNEY: Okay. Thank you.

6 JUDGE STEARLEY: Thank you, Ms. Fortney.
7 Cross-examination, Office of Public Counsel?

8 MR. MILLS: Just a few.

9 CROSS-EXAMINATION BY MR. MILLS:

10 Q. Good morning, Mr. MacEachen.

11 A. Good morning.

12 Q. Do you still have the pictures we were
13 discussing earlier?

14 A. Yes, I do.

15 Q. I'm talking in particular the one full page
16 picture that shows some blue pipe in it. Had you seen
17 that picture before today?

18 A. I have, yes.

19 Q. Is that a picture you discussed during your
20 deposition, do you recall?

21 A. I believe we -- I believe we touched on
22 that during deposition, yes.

23 Q. And when you were just responding to
24 questions by Mr. Pugh, did you say that you could not tell
25 what material that was buried in?

1 A. I can't -- I can't determine whether that
2 is under -- or is undisturbed soil or whether it may be
3 compacted soil. From what's available here in this
4 picture, I can't make that determination.

5 Q. Now, in your deposition you said, with
6 respect to what I believe is this picture, I would not
7 bury it in rock and rubble as you see here. Is that the
8 same picture you're talking about.

9 Q. That is the same picture, yes.

10 Q. And so it's your testimony that that pipe
11 is buried in rock and rubble?

12 A. There's certainly rock and rubble
13 potentially around or at the sides and ultimately at the
14 sides and above the pipe. What is below, there's
15 certainly rock below. You can see several outcroppings,
16 minor outcroppings of rock. Whether the soil underneath
17 that pipe is undisturbed its entire profile, I don't know,
18 but what I see in this picture for material to potentially
19 be put back over that pipe for burial, yes, I would define
20 that as rock and rubble.

21 Q. Now, with respect to construction of water
22 and sewer mains, would part of the permitting process,
23 would the applicant have to state what sort of backfill
24 that the construction process would use?

25 A. I do not believe that our permitting

1 process requires the owner or engineer to specify what
2 type of material is going to be used to fill the trench
3 back in. I believe that's -- we rely on their own
4 professional judgment as to the suitability of the
5 material.

6 Q. I believe you testified earlier -- and I
7 may be paraphrasing -- that you believe best practices
8 would be to use sand for both water and sewer; is that
9 fair?

10 A. Sand for that portion immediately under.
11 Ideally both water and sewer -- well, I wouldn't say
12 ideally. In my professional experience as a water and
13 sewer superintendent, my requirement, my own personal
14 requirements for the systems I managed was at least six
15 inches of sand under either water or sewer mains, followed
16 by another application up the side wall and at least six
17 inches of covering of sand, and then -- and then go ahead
18 and pull material excavated previously to form the trench
19 to be placed back over the sand.

20 There is no written requirement for that in
21 the design guide, in the drinking water design guide. I
22 don't believe there's a similar requirement in guidance
23 from water pollution.

24 Q. Is that -- the process that you just
25 described, is that the process that is typically used in

1 the state of Missouri?

2 A. I don't know that I would say it's
3 typically used. I would say the better operated companies
4 do that, such as Missouri-American, larger companies.
5 Missouri-American to name one, municipalities, a number of
6 municipalities follow that process. But is it typical? I
7 guess I would -- I don't feel competent to answer that.

8 Q. Commonly used, but not necessarily used in
9 the majority of instances; is that how you would
10 categorize it?

11 A. I would agree with that, yes.

12 Q. Now, with respect to the blue pipe that's
13 on a number of those pictures, can you tell from your
14 experience and simply by looking at the pipe and its color
15 tell what kind of pipe that is?

16 A. Well, the one thing I can state without --
17 or with a high degree of certainty is that it is plastic
18 pipe.

19 Q. Okay.

20 A. All right. I would further state that I
21 believe it to be what we call rolled flexible plastic
22 pipe. As to the burst rate, the rated burst pressure,
23 there's nothing in the pictures to indicate what that --
24 what that rating is. As I testified previously, they're
25 usually 80 pounds, 80 or 84 pounds pressure burst rated,

1 and 160 pound pressure bust rated pipes. And all those
2 really are is related to the wall thickness of the pipe,
3 which I can't tell from this picture.

4 Q. If that pipe -- you can't tell from the
5 pictures that it's more flexible than typical
6 polyvinylchloride pipe; is that correct?

7 A. Oh, it is definitely more flexible than
8 typical PVC. PVC tends to be rigid. This is a -- this is
9 not true PVC. It is probably a -- what we call an HDPE,
10 high-density polyethylene or it could be an HPCL. I can't
11 tell you what the HPCL stands for off the top of my head.
12 It is definitely a much more flexible pipe than PVC is.
13 PVC is a term that's loosely used as a catchall phrase to
14 apply to a lot of different pipe which may not be PVC.

15 Q. Now, assume for me that that pipe is, in
16 fact, a burst strength of roughly 80 PSI. What
17 specifica-- what burst strength specification does DNR
18 require for water mains on a system such as the one on Big
19 Island?

20 A. We actually for the mains, for larger
21 diameter mains, we use a whole different rating structure.
22 It's based on what's called an SDR categorization. SDR
23 relates to the relationship between the thickness of the
24 side wall of the pipe as opposed to its diameter. That
25 gives a certain -- that gives a certain quality to the

1 pipe in terms of the amount of pressure that it can bear
2 before it will fail. It also relates to the amount of
3 overburden that can be put over the pipe without fear of
4 either collapsing it or ovaling it.

5 Most often in our reviews, we look for an
6 SDR 21. It's hard to explain that ratio to you, how they
7 devise that ratio. I'm not even sure I could define for
8 you how that 21 nomenclature is come to, but it's -- it's
9 generally a much thicker-walled pipe than any service line
10 pipe, simply because it has to carry a wide range of
11 pressures and pressure fluctuations, and it usually has to
12 maintain not only a soil overburden, but also a pavement
13 overburden and the traffic traveling over it because,
14 quite frequently, most water mains water and sewer mains
15 are buried within the limits of a roadway or certainly
16 within the limits of the right of way associated with that
17 road.

18 So it has to have a different pressure
19 rating category or process than service mains, which
20 typically run outside the limits of pavement and do not
21 carry the load weight that a paved surface would.

22 Q. Okay. Now -- and you may have misspoken,
23 but you used the phrase at the very end of that answer
24 service main.

25 A. I'm sorry. Yes, I did misspeak. Service

1 lines.

2 Q. Service lines?

3 A. Yes.

4 Q. And for the record, does SDR stand for
5 strength diameter ratio?

6 A. Yes.

7 Q. Okay. Now, without getting too deep into
8 this, would it be fair to say that for the size of mains
9 that are typical on the Big Island system, that the SDR
10 would -- that the pipes that complied with that SDR would
11 have a burst rating considerably in excess of 80 PSI?

12 A. Absolutely. Well, if I may, should have a
13 rating much higher than 80 PSI.

14 Q. Okay. And assume -- let's go back to where
15 I was going before. Assume for the purposes of this
16 question that the blue pipe that you see connected to the
17 mains in some of those pictures has a burst rating of
18 roughly 80 PSI. From DNR's perspective, is there any
19 violation or anything you would take action on if pipes of
20 a much lower burst rating such as 80 PSI are connected at
21 regular common intervals to the main?

22 A. I don't believe we ever have, once again,
23 because our guidance is just that, on material
24 specifications, guidance only. We do not have the
25 authority to write a formal Notice of Violation or take

1 formal action for use of less than recommended materials
2 used once you depart away from the mains.

3 Q. From your perspective as a superintendent
4 of water systems, can you see potential problems of
5 putting in a strong main and then regularly piercing it
6 and connecting low burst strength pipe to it?

7 A. Absolutely. Absolutely.

8 Q. Now, in terms of definitions, is the line
9 from a home to a single user septic tank called a service
10 line? If you have a home that has its own individual
11 septic tank, the line that comes from the home to the
12 septic, is that called a service line?

13 A. That could be referred to as a service
14 line, yes.

15 Q. Is it usually referred to as a service
16 line, in your experience?

17 A. I'm not that familiar with sewer
18 facilities, but I believe it's quite frequently referred
19 to as a service line.

20 Q. Okay. And with respect to a water line, is
21 the line from a home to a private single user well, is
22 that called a service line?

23 A. I'm sorry. State your question in
24 particular about the private consumer well.

25 Q. Okay. A home that -- a homeowner that has

1 drilled his own well that serves only that home, is the
2 line that connects that well to that home, is that called
3 a service line?

4 A. That would certainly be my interpre-- that
5 would be my label for it. We do not -- certainly do not
6 define it in the public drinking water regulations.

7 Q. Now, in a different situation, and here I'm
8 speaking about a community water system and community
9 sewer system, is the line that connects a home to the
10 sewer main in a community system, is that called a service
11 line?

12 A. Generally, I would say that's correct.

13 Q. Okay. And the same for a water line?

14 A. Yes. Generally, once again, I would say.

15 Q. Is there anything within the industry
16 day-to-day, the way you talk, the nomenclature and
17 documents that would distinguish a service line that
18 connects a home to a private well as opposed to a service
19 line that connects a home to a community water main?

20 A. If there is -- if there is a difference,
21 I'm not aware. Once again, I can only tell you what I
22 know to be defined for public water supplies and how the
23 terms I usually use in discussion with other people, both
24 in the field and outside the field. I typically refer to
25 the line, irregardless of whether it's a municipal system,

1 a subdivision or a private home, the line running from a
2 point of connection in the private home case with that
3 well, I don't know what else you'd call it but a service
4 line.

5 MR. MILLS: Okay. Thank you. And that's
6 all the questions I have.

7 JUDGE STEARLEY: Thank you, Mr. Mills.
8 Cross-examination by Staff?

9 MS. HEINTZ: I have no questions. Thank
10 you, your Honor.

11 JUDGE STEARLEY: Cross-examination by the
12 393 companies, Ms. Holstead?

13 MS. HOLSTEAD: No questions, your Honor.

14 JUDGE STEARLEY: Mr. Comley, before we pick
15 up with your cross, and I know you'd intended originally
16 to offer excerpts from your deposition, I'm not sure how
17 lengthy your cross will be, and so I was just going to ask
18 if people wanted to complete Mr. MacEachen's testimony
19 prior to lunch or if they wanted to take a break for lunch
20 first?

21 MR. COMLEY: Your Honor, I have no
22 objection to going on right now. I have a feeling it
23 could be 40, 45 minutes with Mr. MacEachen, and I don't
24 know his schedule. I want to be solicitous of the
25 witness, but I'm prepared to go forward right now.

1 JUDGE STEARLEY: Okay. Then why don't we
2 go ahead and try and complete his testimony. We will be
3 having a change in court reporters this afternoon, as
4 Ms. Feddersen has an appointment to attend to, but I think
5 that should time out quite well with the way our
6 testimony's going.

7 CROSS-EXAMINATION BY MR. COMLEY:

8 Q. Mr. MacEachen, I know that you and I have
9 visited before. We had a deposition scheduled for the
10 Department of Natural Resources on January 30th, and you
11 attended in that respect, did you not?

12 A. I did, yes.

13 Q. And you also reappeared, I think it was
14 Tuesday of this week and we completed that?

15 A. That is correct.

16 Q. Because you were here, I am not going to
17 read excerpts of your deposition, but I thought maybe we
18 could go through some things that may sound familiar to
19 you in advance. First, can you tell me the exact position
20 you hold with the Department of Natural Resources?

21 A. I am the enforcement unit coord-- I'm
22 sorry. I'm the enforcement unit chief for the drinking
23 water branch of the water protection program of the
24 Department of Natural Resources.

25 Q. Do you consider yourself an engineer?

1 A. No, I do not.

2 Q. Do you consider yourself an environmental
3 specialist?

4 A. Yes, I do.

5 Q. All right. Did you ever obtain any
6 master's degree?

7 A. I did not.

8 Q. But you have had experience with wastewater
9 and water systems; is that correct?

10 A. Yes, I have.

11 Q. Could you explain your experience in the
12 wastewater and water system operation business?

13 A. Certainly. For approximately 18 years I
14 was a chief operator at a wastewater -- at several
15 wastewater plants throughout the state of New Hampshire.
16 I also performed duties in at least three of those
17 communities as water and sewer superintendent in which I
18 had oversight of water and sewer operations, both
19 treatment and process, as I like to call it in the
20 streets, to ensure that the systems were capable of
21 meeting demands placed upon them and that they were --
22 that the systems were in compliance with federal and state
23 regulations at the time.

24 Q. And the number of customers on those
25 systems varied, as I remember?

1 A. That's correct.

2 Q. Can you give us the range of customers that
3 were served through the facilities that you were in charge
4 of?

5 A. The smallest system I managed had a
6 population of 2,600 people; the largest I had was 37,000.

7 Q. There were questions to you about a
8 construction permit that was issued to Folsom Ridge or the
9 developer in this case following a Notice of Violation for
10 starting construction previous to obtaining the permit.
11 Let me ask you this: From your perspective, is it
12 uncommon for developers to commence construction while
13 permits are pending or before they have been obtained?

14 A. It's -- we have a number -- we have a lot
15 of cases where, yes, they start before they've even
16 applied for a permit, and at points in time, we have had
17 varying responses to our orders to stop construction
18 because there was no permit from the best possible they
19 immediately stopped to responses, you go get a court order
20 and restraining order and then I'll stop. So we go get a
21 restraining order.

22 Q. In this case did you have to get a
23 restraining order?

24 A. No, we did not.

25 Q. In fact, with respect to Folsom Ridge

1 generally, has Folsom Ridge been resistant to the requests
2 of DNR in connection with rectifying situations you've
3 discovered?

4 A. I don't recall any particular instant where
5 they were resistant to what we were asking. There may
6 have been -- there may have been one or two times in which
7 they asked for further clarification or explanation of why
8 we were asking for, but once we supplied the information
9 that they requested, they worked with us.

10 Q. You had mentioned about requiring
11 cooperation from developers. Would Folsom Ridge be one of
12 the cooperative developers you work with?

13 A. From the Department's standpoint, I would
14 have to say yes.

15 Q. I have a few questions about DNR policies.
16 That was a subject we took up with your deposition.

17 A. Uh-huh.

18 Q. And first, would the Big Island wastewater
19 system and its central -- rather its water distribution
20 system be referred to as centralized systems?

21 A. Yes, they would.

22 Q. With respect to the wastewater system, does
23 the Department have a policy with respect to preferences
24 for a centralized sewer and wastewater systems?

25 A. Preferences as to type of treatment or --

1 Q. Over individualized systems.

2 A. I'm hesitant to answer your question
3 because I don't work that closely in the wastewater side
4 of it, but I would certainly say that, yes, the Department
5 favors centralized collection and treatment systems for
6 wastewater over individual, particularly in areas such as
7 that served by Big Island Water and Sewer.

8 Q. Could you explain in your words your
9 understanding of the benefits of having centralized
10 wastewater collection and treatment?

11 MR. MILLS: Your Honor, I'm going to
12 object. I don't think there's any issue in this case with
13 respect to whether a centralized system is a good idea or
14 not. It's a question of who ends up with it. I don't
15 disagree with any of this, but I think we're taking a lot
16 of time to establish something that nobody disputes. I'll
17 object that it's irrelevant and repetitious.

18 MR. COMLEY: I'll shorten it.

19 BY MR. COMLEY:

20 Q. Would you dispute there are benefits from
21 DNR's perspective for centralized wastewater systems?

22 A. I'm sorry. Would you --

23 Q. Do you dispute there are benefits to --
24 from your department's perspective, do you dispute that
25 there are benefits from having centralized wastewater

1 facilities to Missouri residents?

2 A. No. There is no dispute on that.

3 Q. The next question would be, if a resident
4 does have individualized septic systems that discharge
5 from the tank directly into a watershed, can you explain
6 the risks?

7 A. There are a number of risks. First and
8 foremost is the system functioning properly. Is it sized
9 correctly to handle the volume of sewage that it may, in
10 fact, have to serve? Secondly, is it being properly
11 operated? Is it properly installed?

12 We have far too many -- even at this late
13 date, we have far too many systems around Lake of the
14 Ozarks, throughout the state of Missouri, that are by
15 strict definition failed systems. They are not producing
16 a quality effluent that would be appropriate for discharge
17 either to a receiving treatment or subsurface.

18 Q. What conditions do individualized septic
19 systems suffer from in connection with producing
20 appropriate effluent?

21 A. First condition is that people do not
22 realize that septic systems are no different than any
23 other mechanical device, they require periodic
24 maintenance. First line of that maintenance is frequent
25 or a regularly scheduled pumping of the solids contained

1 within the septic tank.

2 Q. Do you have a recommendation on when
3 pumping should take place?

4 A. Three to five years.

5 Q. What about soil?

6 A. Soils are classified for permeability. We
7 do find situations where soil -- types of soil are not
8 conducive to the -- naturally existing soils, I should
9 say, are not conducive to an individual septic system
10 because they will not allow the effluent from the septic
11 tank and leach field to percolate properly. In other
12 words, they will just pool, and it may be underground,
13 subsurface. They will pool, but the first time that the
14 soil profile becomes saturated, it's going to come to the
15 surface.

16 Q. What kind of microbiological life is
17 produced in the event a septic tank is improperly
18 maintained?

19 A. Well, first and foremost, the microbiology
20 of the human intestine certainly is the microbiology of
21 the septic system.

22 Q. Are these pathogenic?

23 A. Some are pathogenic; some are
24 non-pathogenic. Our biggest concern is certainly for the
25 pathogenic forms of bacteria and viruses.

1 Q. Let me direct you to the lake of the
2 Ozarks, and is there something about that area, that
3 geography or soil types that is more of a factor
4 concerning proper wastewater treatment?

5 A. Well, certainly the soil types are not --
6 in all areas are not absolutely conducive to the
7 installation of individual systems because of the
8 permeability issues, percolation rates are not
9 appropriate. And then there are some of those areas, the
10 water, the wastewater is not fully treated before it
11 migrates through the soil profile and ends up in the lake.

12 Q. Is there -- how would you refer to the
13 geological profile at the lake of the Ozarks?

14 A. It is -- it is -- it's a very complex
15 system. I can't use --

16 MS. HEINTZ: Your Honor, I will raise a
17 relevance objection to this line of questions. I think,
18 again, we're straying into areas that are not pertinent to
19 the Commission's decision in this case and the issues that
20 are before it.

21 JUDGE STEARLEY: Mr. Comley, would you
22 please explain the relevance?

23 MR. COMLEY: I think that we're talking
24 about the public interest and having a centralized sewer
25 system and I want to make sure we understand from DNR's

1 perspective the merits of this system and why it's
2 important for the lake of the Ozarks.

3 I was wanting to know what kind of -- what
4 topography, I would like to know that. I think it also
5 gets into the questions I'm going to have about the
6 flexible pipe that's been referred to in Exhibit 63.

7 JUDGE STEARLEY: Do you have very many more
8 questions regarding this line?

9 MR. COMLEY: No. I could ask one more
10 question.

11 JUDGE STEARLEY: All right. You may
12 proceed.

13 BY MR. COMLEY:

14 Q. Can you tell me what kind of topography is
15 represented by the lake of the Ozarks?

16 A. It's a mixed -- it's a mixed topography
17 ranging from steep rocky precipitous downgrades to flat
18 surface areas, although the flat surface areas are fewer.
19 They're very few and far between.

20 Q. What is karst topography?

21 A. Karst topography, actually it's more a
22 geologic feature than it is a topographical feature.
23 Karst topography refers to a subsurface condition in which
24 the ground is, if you will, like a piece of Swiss cheese.
25 It's permeated by voids, conduits, underground channels

1 that are created by flowing water, and they may form
2 typical sink holes that everyone knows about. Sink holes
3 are actually collapses within the karst topography of
4 underground -- small underground caves. In some cases,
5 those caves can be quite substantial. Grand Gulf in
6 southern Missouri is the result of a collapse of a karst
7 topography feature.

8 Q. Is that common in the lake of the Ozarks?

9 A. In certain areas, yes. Yes. We've had
10 reports of well drillers attempting to drill wells in the
11 area that have punctured through the roof of a cave that
12 nobody knew was there and have actually drilled down
13 through the cave without knowing it. They don't know it
14 until they bring the concrete trucks to pour protective
15 grout and they pour truckload after truckload and there's
16 no end to it. So, yeah, there are areas of Lake Ozarks
17 that would be karst in nature.

18 MR. MILLS: Your Honor, as a geologist I
19 find this fascinating, but we have other things to address
20 today, and I would -- I believe Mr. Comley promised you
21 one question. I think we've gone beyond that.

22 MR. COMLEY: I think I promised about an
23 hour, I think.

24 MR. MILLS: I hope we get back to the
25 relevant issues.

1 THE WITNESS: You can go on --

2 JUDGE STEARLEY: I believe we're getting to
3 the blue pipe and I hope we're getting --

4 MR. COMLEY: I'll try.

5 BY MR. COMLEY:

6 Q. Do individualized septic tanks pose a risk
7 to individualized private wells?

8 A. They certainly can, yes.

9 Q. Can you explain briefly why that is?

10 A. Individual private wells and what's
11 referred to as a domestic well in Division of Geology and
12 Land Surveys regulations is generally a shallow well less
13 than 300 feet. It has very little casing pipe in it. It
14 has no grout seal, so there's no protection either from
15 surface water runoff nor from subsurface water migration,
16 and we do find that a considerable number of private wells
17 are contaminated with various types of bacteria, both
18 pathogenic and non-pathogenic.

19 Q. There are a number of homes on Big Island
20 that are still using individualized septic tanks and
21 wells. I think you know that.

22 A. Yes.

23 Q. Assuming that the wastewater treatment
24 facility constructed on Big Island is being operated
25 correctly and is complying with DNR regulations, when the

1 septic system for an existing home comes to the point that
2 it needs to be replaced, what would your recommendation
3 or, for that matter, even DNR's recommendation be to that
4 homeowner?

5 A. We would -- we would certainly recommend
6 that they connect to the centralized system.

7 Q. And why is that?

8 A. For protection of the public health, for
9 maintenance of water quality, both that's intended for
10 potable source supply and that may find its way to the
11 lake.

12 There is another question arises in my
13 mind. If a system, if a septic system, a private septic
14 system has failed, the homeowner may not be given a permit
15 to construct a new system by the local health
16 organization, the county health department.

17 Q. Let me ask you this: Do you happen to know
18 how many drinking water permits in the state that you know
19 about have been free of Notices of Violation or
20 unsatisfactory features?

21 A. In total?

22 Q. As far as you can tell me.

23 A. I'd say less than 50 percent of the public
24 drinking water systems in the state have never had a
25 violation. In fact, I may even go further to say less

1 than 20 percent of the public drinking water systems have
2 had absolutely no violations in a given time period.

3 Q. Do you know of a particularly
4 well-performing system that you could give us an example
5 of that has had Notices of Violation? I'll mention City
6 Utilities of Springfield.

7 A. City Utilities of Springfield is a very
8 well-run system. They have a very good operational crew.
9 They have a very good management structure to oversee.

10 MS. HEINTZ: Your Honor --

11 THE WITNESS: But they have problems.

12 MS. HEINTZ: I'm delighted for the City of
13 Springfield and its great drinking water, but as far as
14 the question about the blue pipe, are we going to get
15 there soon?

16 MR. COMLEY: These are -- if we can't go
17 through this, I'm going to have to make an offer of proof
18 of all this, and I'll do that if we have this objection
19 sustained. These are matters addressed in the
20 Department's deposition, and I think they're important and
21 critical to the issues in this case.

22 JUDGE STEARLEY: Since the issues were
23 raised as to the safety of the current system as it exists
24 out there, I will allow Mr. Comley some latitude in this
25 line of questioning. However, I hope that we can get

1 through this rather quickly.

2 BY MR. COMLEY:

3 Q. Did you finish your answer?

4 A. I believe so.

5 Q. Can you recall what recently may have
6 afflicted City of Springfield Utilities in terms of
7 violation?

8 A. They lost -- they've had situations where
9 they've lost their source water pumps. They transmit from
10 considerable distance away in Stockton Lake. They have
11 not been able to meet the demand placed upon the system at
12 all times and have had to implement or go to groundwater
13 usage.

14 Q. Have there been any low pressure readings
15 on the system?

16 A. I believe there have been, yes.

17 Q. Let me ask you some questions specifically
18 about the enforcement action that has been taken against
19 Folsom Ridge and the outcome of that. From your earlier
20 testimony -- and I'll rephrase, I'll see if it's correct.
21 My understanding is that you understand all fines have
22 been paid by Folsom Ridge in connection with any Notice of
23 Violation or any kind of unsatisfactory feature and
24 particularly the settlement agreement. Would that be a
25 fair statement?

1 A. That is my understanding, yes.

2 Q. Do you know of any pending enforcement
3 action that has been considered by the Department or any
4 other Notice of Violation at this time?

5 A. I'm not aware of any at this time.

6 Q. I think in response to Commissioner
7 Appling's testimony, you said that final inspection may
8 still be outstanding on this facility; is that correct?

9 A. Yes. I believe that's still correct, yes.

10 Q. Let me show you what's been previously
11 marked as Exhibit 93, and you may have that with you in
12 front of you.

13 JUDGE STEARLEY: I don't believe I have a
14 copy of this one yet, Mr. Comley.

15 Thank you.

16 THE WITNESS: Yes, I have the exhibit.

17 BY MR. COMLEY:

18 Q. Do you have that? Can you review it for
19 me, please?

20 A. Okay. I have reviewed it.

21 Q. Can you describe that document for the
22 Commission, please?

23 A. This is a -- as referenced in the cover
24 letter and the heading, a final inspection of the
25 subdivision water line replacement and extension project

1 in Camden County relative to Folsom Ridge. It was
2 addressed to Mr. Reggie Golden, Folsom Ridge and relative
3 to Big Island subdivision.

4 Q. Based upon your knowledge, does this deal
5 with the water line replacement project pursuant to the
6 settlement agreement?

7 A. It appears to be, yes. It appears to be
8 the water mains that are in most need of attention here.

9 Q. Would it be fair to say, then, that final
10 approval of that project has been given by DNR?

11 A. I am not aware that final approval has been
12 given, although -- well, let me rephrase that. We may
13 have -- we may have given final approval of the actual
14 physical work, but we still have the issue of a permit to
15 dispense water which really cannot be finalized until the
16 ownership is determined, who's going to -- who's going to
17 be the responsible party.

18 Q. Have you been advised that by Mr. Collins,
19 who holds the permit request?

20 A. Mr. Collins? I'm not --

21 Q. Charles Collins?

22 A. No, I have not.

23 Q. I'm noticing on the first page that final
24 approval part of that paragraph. But you're saying that
25 that does not constitute final approval?

1 A. I was under the impression or have been
2 under the impression that we have not completely and
3 finally deemed the water system to be in 100 percent
4 conformance. To be perfectly honest with you, I could be
5 wrong. There may be documentation subsequent to this on
6 the permit, but I was under the impression that we had not
7 finalized.

8 Q. Regarding compliance with monitoring
9 reports, contaminant requirements and maximum contaminant
10 level requirements, do you know whether Big Island is out
11 of compliance with any of those?

12 A. At this time, they are not.

13 Q. How would you -- do you have a way of
14 rating the company at this time?

15 A. They certainly -- they have been on time
16 with all of the required monitoring for the various
17 contaminants. They're monitoring -- every system is
18 required to submit at least one sample a month for
19 microbiological quality. I believe they are -- they are
20 in compliance with that.

21 There may have been a couple of instances
22 where they were not in -- they had not submitted samples.
23 It does seem to me that there are a couple -- two periods
24 when those samples came back positive for bacteria, but
25 the system did take appropriate action, so I would -- my

1 personal rating of the system, as far as compliance, I
2 would consider them to be a very good system in terms of
3 meeting those regulatory requirements.

4 Q. I neglected to ask you to review another
5 exhibit. It's 92, Exhibit 92.

6 A. Yes.

7 Q. Can you identify that for the Commission,
8 please?

9 A. That is a memorandum from Joseph B.
10 Bindbeutel, environmental division chief of the Attorney
11 General's Office, to Elena Seon, who is an environmental
12 specialist in the water pollution control branch. He is
13 advising her that the penalty, the \$8,000 penalty agreed
14 to in the settlement agreement has been paid, that he is
15 closing -- that the settlement agreement's been finalized,
16 he's closing his files at this point, but also putting us
17 on notice that should future violations warrant, he will
18 recall the files from the archive and reopen the case as
19 necessary.

20 Q. Have you been advised by Mr. Bindbeutel
21 directly or any of his assistants that they are reopening
22 the file for other violations?

23 A. Not at this time.

24 Q. It may sound repetitive, but let me ask you
25 this: Do you know of any unsatisfactory feature that has

1 been pointed out by DNR to the operator of the wastewater
2 or water distribution system on Big Island that the
3 permitees have failed to correct?

4 A. I can certainly speak with authority for
5 the drinking water side. I'm not aware of any situation
6 which they have not responded to and worked with us to
7 correct the deficiencies. The wastewater side, I'm going
8 to go out on a limb a little bit and say that I think the
9 same holds true on the wastewater side.

10 Q. Very well. Now, I have some questions
11 concerning cross-examination or rather examination by
12 Mr. Gaw and the other parties. Regarding the
13 investigation that started with your first knowledge of
14 Big Island, which I think you said you started in 2003; is
15 that correct?

16 A. That's when I became involved, yes.

17 Q. And you had meetings with local homeowners
18 about complaints that they raised with you about
19 installation of the lines in the same trench; is that
20 correct?

21 A. That is correct, yes.

22 Q. Isn't it true, though, that the design
23 guide for DNR does permit construction of water and sewer
24 lines in the same trench?

25 A. Yes, it does.

1 Q. And the issue before you is whether or not
2 the lines have been installed in the same trench with
3 sufficient separation. Would that have been the case?

4 A. That was the case.

5 Q. And the separation had to be done by a
6 particular kind of I'll call it a geographical feature of
7 a shelf?

8 A. Yes.

9 Q. Would that be correct?

10 A. If I may, a shelf of undisturbed material,
11 undisturbed material meaning material that had neither
12 been excavated and recompactd or in any way moved. It
13 was as --

14 Q. As is?

15 A. As is, yes.

16 Q. And as I understand it, upon discovery,
17 after the test pits were dug, it was concluded that the
18 undisturbed soil was not part of the shelf?

19 A. That's correct.

20 Q. Okay.

21 A. Yeah.

22 Q. The water line replacement project that
23 ensued following that with the settlement agreement, can
24 you tell me who was involved in deciding upon how the
25 water line would be replaced to make it comply with the

1 plans and specifications?

2 A. The first proposal submitted by Folsom
3 Ridge in the realignment was unacceptable to the
4 Department because -- for a lot of reasons, the method by
5 which it was constructed, the potential problems for
6 breakage, leakage later on.

7 When we brought their attention to the fact
8 that we could not approve that design, they redesigned and
9 arranged for the water lines to be excavated and removed
10 from the proximity of the sewer lines and displaced to
11 achieve the ten-foot horizontal placement as is
12 recommended in the design guide.

13 Q. And at that point, the design that was
14 proposed was approved by DNR?

15 A. Yes.

16 Q. And it's my understanding now with respect
17 to the construction of the relocated lines, they -- based
18 upon the report that we have identified as Exhibit 93,
19 they do comply with the DNR's regulations?

20 A. It does appear so, yes.

21 Q. Regarding the investigation, who was it
22 that did the test excavations?

23 A. I believe the test excavations were
24 conducted by a contractor working for Folsom Ridge.

25 Q. And that was not at DNR's expense?

9 As far as the decision of where the pits
10 were to be, Folsom Ridge agreed that we, DNR, could
11 stipulate where we wanted the pits dug and, you know, if I
12 remember correctly, it was a random decision or a random
13 location for each of the test pits without prior
14 notification to Folsom Ridge.

16 A. That's correct.

19 A. I was not.

21 A. Mr. Finn was and my staff member, Mr. Tharp
22 was.

24 A. Yes. Yes.

1 JUDGE STEARLEY: Yes, you may.

2 BY MR. COMLEY:

3 Q. Mr. MacEachen, I'm going to direct you to a
4 series of exhibits that were part of your deposition on
5 January 30th, particularly Exhibit 62 that was marked in
6 that deposition exhibit, but the rear of that exhibit.
7 Would you look and see if there's a series of photographs?

8 A. Yes, there are.

9 Q. And are these the photographs that you
10 reviewed in connection with the examination of the test
11 pits?

12 A. Yes, they are.

13 Q. Can you explain to the Commission what is
14 depicted in those pictures?

15 A. There are cross-sectional profiles of -- or
16 photographs of cross-sectional profile trenches to
17 determine the location of the water mains and sewer mains
18 in those areas that we determined that we wanted pits dug.

19 Q. For example, could you tell me what
20 Mr. Finn identified in each of those pits?

21 A. Mr. Finn identified both the type of -- the
22 type of pipe, in other words, whether it was water or
23 sewer, and took direct measurements using an engineer's
24 Foley rule to determine the distance, the spacings of
25 those piping systems. He really did not look at soil --

1 soil types, anything like that. We were primarily
2 interested in how the mains were placed.

3 Q. Were there any other pipes involved in the
4 trenching?

5 A. There were. There were electric utility
6 and telephone utility.

7 Q. Were they marked on the photographs?

8 A. Not directly on the photographs, but they
9 may be marked in the comments associated with those
10 individual comments.

11 Q. Can you review the comments?

12 A. Most of those comments are the excavation
13 pit number, the location, the direction of view, and then
14 a comment on --

15 Q. Is there reference to telephone lines and
16 electric utilities?

17 A. One photograph, the next to the last
18 photograph certainly indicates Mr. Finn's comments,
19 telephone conduit at bottom of photo, so yes, he did
20 identify those.

21 Q. It was following your examination of the
22 photographs from Mr. Finn's inspection that there was a
23 decision made to seek enforcement?

24 A. Yes.

25 Q. I think in some of the questions you

1 mentioned that you had discussions or were in discussions
2 with the project engineer. I think you mentioned it may
3 have been Mr. Jackson, but regarding the location of the
4 water line, did you have discussions with Mr. David
5 Krehbiel?

6 A. Not personally, but Mr. Summerford, the
7 section chief for the permit section, did have
8 conversations with him about things that were in question,
9 that he had -- that we, his review, Mr. Summerford's
10 review staff had identified areas that weren't clear and
11 needed further explanation. I believe Mr. Krehbiel
12 responded to him, both verbally and through written
13 communication, and made the changes requested to the
14 plans.

15 Q. Was Mr. Krehbiel at that point the
16 professional engineer that was in charge of certifying the
17 relocation project?

18 A. I believe he was.

19 Q. You had questions about the jurisdiction of
20 DNR, the power of DNR over service lines from water and
21 sewer mains?

22 A. Yes.

23 Q. Let me ask you this: Does DNR want to have
24 regulatory control over customer service lines from water
25 sewer mains?

1 A. No.

2 Q. Can you tell me why?

3 A. First of all, the amount, the staff load or
4 the time that it would take to adequately regulate those
5 service lines, we just don't have staff to do. It would
6 be a substantial impact on the programs -- the branch's
7 ability to function and perform.

8 MS. HEINTZ: Your Honor, at this time I
9 would renew my relevance objections. The DNR regulations
10 or non-regulations over service lines are not relevant to
11 the issues in this case, and you sustained my objection on
12 those same grounds yesterday.

13 JUDGE STEARLEY: I realize that, but
14 Commissioner Gaw opened these questions, and I do need to
15 allow Folsom Ridge the opportunity to cross-examine based
16 on Commissioner Gaw's questioning.

17 MR. COMLEY: My position would have been
18 that Mr. Gaw raises a policy issue, and I think we need to
19 flesh it out a little bit.

20 BY MR. COMLEY:

21 Q. With respect to the number of facilities
22 that you have right now in regulation, can you explain the
23 number that are in compliance or out of compliance?

24 A. Currently we have approximately 2,800
25 regulated water systems throughout the state of Missouri.

1 Less than 1 percent of them are out of compliance at any
2 given point in time. We have a -- we're in a period of
3 new regulatory effort on the part of the federal
4 government, and we're spending a lot more time on a lot
5 more difficult regulations. I anticipate that that less
6 than 1 percent will go up.

7 Q. So the new regulations are generating more
8 Notices of Violation to the permittees?

9 A. Very much so.

10 Q. There were questions to you, I think from
11 Ms. Orler and perhaps from Commissioner Gaw, about local
12 codes that may apply to service lines. Do you know if
13 Camden County has adopted a code with respect to
14 specifications for service lines from wastewater or water
15 mains?

16 A. I do not know. I do not know.

17 Q. Do you know if any municipality within
18 Camden County has adopted any code, national or otherwise,
19 respecting the specifications and material requirements
20 for service lines from water and sewer mains?

21 A. I'd have to say I honestly do not know.

22 Q. Do you still have a copy of Exhibit 63?
23 That would be the series of photographs.

24 A. Yes.

25 Q. Let me take you to the first page and the

1 picture that's depicted on the top of there.

2 A. Okay. It appears to be a backhoe and a
3 number of people standing to the right of the backhoe.

4 Q. I'm sorry. I'm looking at Exhibit 63.
5 You're looking at Exhibit 62 from the deposition. I'm
6 sorry. It would be this exhibit (indicating), the one
7 that I think you and Mr. Pugh have reviewed several times.

8 A. I'm getting too many papers on the witness
9 desk here.

10 Q. We'll try to make it easier for you.

11 A. Okay. I have it.

12 Q. The photo I have represents that it was
13 apparently taken on June 6, 2000. I think your testimony
14 about this was that the lines to the right may have been
15 service lines?

16 A. That's correct.

17 Q. Can you tell whether they were service
18 lines or will they be conduit for either electric or
19 telephone?

20 A. I can't -- they appear to be service lines
21 for water and sewer. I can't rule out the possibility
22 that they could -- because of the angle of the photograph,
23 it is possible that they could be conduit for other
24 utilities, although they do appear to be a little small
25 for other utilities.

1 Q. But there were conduits for telephone in
2 the same trench as these two lines?

3 A. Yes.

4 Q. And June 6, 2000, that would have preceded
5 your test excavations in January of 2004?

6 A. Yes. That's correct.

7 Q. Let's go to the next page. There are two
8 pictures on that, one dated June 14, 2005, the causeway
9 service line. First I want to ask you, can you tell from
10 that picture whether or not this has a burst capacity in
11 excess of 160?

12 A. There's nothing to indicate in the picture
13 what the rated burst capacity is.

14 Q. Your assumption or presumption that it's
15 80 is just simply an assumption of yours?

16 A. That is correct, yes.

17 Q. This pipe does come in varying degrees of
18 burst capacity or variability; is that correct?

19 A. This particular pipe in the photograph
20 (indicating)?

21 Q. This particular kind of flexible pipe.

22 A. I'm not sure that I could -- I'm not
23 comfortable saying yes. There may be more than just two
24 ratings that I'm not aware of. I'm aware of the usual.

25 Q. Just two?

1 A. 80 and 160 burst.

2 Q. You're saying -- let me ask you this: Have
3 you participated in the field in design of anything like
4 service lines in the last five years?

5 A. No, not directly.

6 Q. I think you talked about the joint that is
7 represented here between the flexible line and what
8 appears to be the service main to the house. We'll say
9 that.

10 A. Uh-huh.

11 Q. Can you tell whether or not that is a
12 compression joint?

13 A. It appears to be a compression joint.

14 Q. If it is a compression joint, can you tell
15 me the benefits of the compression joints?

16 A. A compression joint locks two pieces of
17 pipe together much better than a glue joint and still
18 allows a certain amount of flex to the pipe to accommodate
19 for heaving as a result of freeze/thaw cycle. But this is
20 definitely a mechanical joint connection of some type, and
21 I would say that inside of the metal parts immediately
22 attached to the blue section there is what we call a
23 rubber grommet that fits around the blue pipe.

24 Q. Yes.

25 A. And as you tighten the nut, the larger

1 octagonal fixture on the white pipe side of the
2 connection, that compresses that gusset and that --

3 Q. The grommet?

4 A. -- that grommet tightly around the blue
5 pipe to do two things, No. 1, create a watertight seal
6 and, No. 2, to act as an anchor to prevent that blue
7 pipe -- well, to prevent one pipe from blowing out of
8 another.

9 Q. If this system maintains the appropriate
10 measure, can you tell me the likelihood of cross
11 contamination caused by this flexible pipe?

12 A. The likelihood is dependent on the material
13 that -- it's principally on the material it's embedded in.
14 Typically, in a system like Big Island, I don't believe
15 you would see an instantaneous rise of pressure up to
16 160 pounds, which is where that pressure burst rating --
17 what that pressure burst rating applies to. Pipe like
18 this blue pipe is more prone or more at risk from being
19 abraded through than it is being blown apart.

20 Q. Let's assume that this flexible pipe is
21 burst rated at 200.

22 A. Okay.

23 Q. If it's rated at 200, is there any
24 significant change in its likelihood of possibility of
25 cross contamination if there is a pressure loss?

1 A. I would say that there's neither more or
2 less potential for contamination.

3 Q. If there is a burst rating of 200, the
4 thickness of the flexible pipe would be greater; is that
5 correct?

6 A. Yes.

7 Q. In that case, would it also be more
8 durable?

9 A. Oh, yes. Yes.

10 Q. Do you know when the system was activated?

11 A. Not specifically. I'm going to say that
12 some portions of it have probably been in operation since
13 about 2000.

14 Q. Assuming this blue flexible pipe has been
15 in use for service line connections from its beginning to
16 now, do you have an opinion as to whether or not the
17 durability of this pipe has been compromised?

18 A. I have concerns about -- once again, about
19 the material that it's buried in, but as to the structural
20 integrity of the pipe itself, I just really don't -- I'm
21 not comfortable rendering an opinion on it because there's
22 so many factors that come into play.

23 Q. Have there been any kind of reports to your
24 office on pressurization loss on this system since it was
25 activated?

1 A. I don't remember any. I would have to say
2 I don't recall seeing any boil orders or boil advisories
3 being issued for the system. I'm not aware of any at this
4 time.

5 Q. Have you received any reports that service
6 lines made of flexible PVC pipe or this blue pipe have
7 burst?

8 A. I have not seen any reports of that, no.

9 Q. Have you heard any complaints from the
10 landowners there that their service has been interrupted
11 because of their service line connection?

12 A. I don't recall hearing anything.

13 Q. You had questions about the soil profile.

14 A. Uh-huh.

15 Q. And just to clarify, if a sewer main is
16 installed above a water main in conformity with your
17 design specifications and the sewer main loses pressure or
18 somehow leaks, describe for me, where would that leak
19 eventually wind up.

20 A. The leak would generally follow the trench
21 in which the sewer main is laid. There might be some
22 lateral seepage, some migration, depending on what the
23 soil -- the actual soil components were, where it moved
24 outward from the trench. Generally, though, to move
25 beyond ten feet is not very commonly found, and that's why

1 we stipulate a ten-foot separation, once again, of
2 undisturbed soil between the two surface types.

3 Q. And again, engineers regularly design this
4 kind of configuration for sewer and water pipes in
5 topography and features like we have seen in Exhibit 63?

6 A. Oh, yes. Yes.

7 Q. It is not an uncommon design?

8 A. No.

9 Q. And it is not uncommon for DNR to approve
10 it?

11 A. No.

12 Q. The next picture I'm looking at is the one
13 that has the more telescopic -- less telescopic view of
14 the line I think we're referring to.

15 A. Uh-huh.

16 Q. And let me confirm. You have no way of
17 knowing what kind of fill was used to cover this
18 installation; is that correct?

19 A. That is correct.

20 Q. Your assumption that it may have been used
21 with rock or other kind of debris that was there is just
22 based upon the photograph?

23 A. It's not based on the photograph because
24 there's nothing to indicate. There's no piles of material
25 slated for the recovering.

1 Q. You have never inspected this yourself and
2 do not know whether the covering is contrary to what you
3 would prefer?

4 A. No. That's correct.

5 Q. Let's go to the next page. There is a
6 sewer cover on there. Would you agree with me that any
7 unqualified person could be a risk to this system if they
8 started to tamper with it?

9 A. This system or any other system, yes.

10 Q. Would it be safe to say that a qualified
11 plumber could be able to discern, based upon his
12 experience, his education, which of the lines in this
13 particular pit would be water and sewer?

14 A. I would say he would look -- he would -- if
15 he was not just by visual observation able to discern
16 that, if he's a properly certified, he would -- he would
17 look further to ensure which one was the water and which
18 one was the sewer.

19 Q. With respect to that, another question
20 would be, even though the manhole says -- the manhole
21 cover says sewer and the qualified person would look in
22 there and see what's in the photograph below it, would
23 that necessarily mean the qualified person would think
24 it's just sewer?

25 A. Well, my own response would be when I

1 looked in there, if that said sewer on it, I would know
2 there's something in there besides sewer.

3 Q. All right.

4 A. And I think most any other person who's
5 worked with water and sewer would have the same opinion.

6 Q. You don't know whether or not this -- well,
7 you won't know this either. If this has been corrected,
8 would your concerns about confusing any operator or any
9 person, would that have been solved?

10 A. Partially. I think the better solution
11 would be to put in a new cap that didn't say anything on
12 it at all.

13 Q. All right. I see. It wouldn't be sewer
14 and water, it would just be blank?

15 A. Right. I think they're \$5 a piece, \$6 a
16 piece.

17 Q. With respect to the photograph below that
18 manhole cover, can you tell from your own experience which
19 line is the sewer line?

20 A. Not with absolute certainty.

21 Q. Do you know what a corporation valve is?

22 A. Very definitely.

23 Q. Can you see one in this picture
24 (indicating)?

25 A. No, I don't.

1 Q. Isn't it the one at the bottom?

2 A. No. Well, I can't be sure. It's hard to
3 determine what this is at the bottom. A corporation
4 valve, a corporation stop is the appurtenance that is
5 attached to the water line.

6 Q. Okay. All right.

7 A. And from the corporation stop, which also
8 has a shutoff on it, from the corporation stop, the
9 service line runs onto private property and through a
10 service, a service shutoff and on into the house. This
11 certainly is not a corporation stop.

12 Q. All right.

13 A. And I don't think it's a water shutoff
14 valve either. If you look on the pipe at the bottom --

15 Q. Yes.

16 A. -- you're talking about that darker ring
17 around --

18 Q. Yes, but I see a handle to the right. It
19 looks like a handle to me.

20 A. Yes. Yes, it does.

21 Q. So I'm thinking there's a shutoff valve on
22 each line?

23 A. Uh-huh.

24 Q. And that other stop it seems to me that --
25 well, anyway --

1 A. The top pipe?

2 Q. Yes.

3 A. Based on my -- based on my experience, I
4 would say the top pipe is a water pipe, is a water service
5 line and the valve is a water service shutoff.

6 Q. All right.

7 A. The bottom line, as I said in earlier
8 testimony, as I understand it, the sewer service lines are
9 all I believe inch and a half and go -- before they go
10 through the service line they go through a grinder pump.
11 If they didn't go through a grinder pump --

12 Q. Right.

13 A. If they didn't get through a grinder pump,
14 they couldn't get through an inch and a half line. I
15 don't know what this darker ring half in the middle of
16 that run of pipe in the lower -- on the lower pipe is.
17 It's not a grinder pump, I don't believe. But it does
18 raise the question or it raises a question in my mind
19 because it does seem to be a valve to the right. I agree
20 with you.

21 Q. All right.

22 A. And if it -- if that is, in fact, a
23 grinder, you would want a valve as we call it upstream
24 from the grinder so that you could shut off any flow from
25 coming from the house or the holding tank, so that if you

1 had to replace the grinder pump or work on it, you
2 wouldn't be constantly flowing water -- wastewater from
3 the house into the work area.

4 Q. Can you tell from this photograph how deep
5 the pit is?

6 A. No, I can't. I have no reference.

7 Q. Let's say the pit were 24 to 36 inches
8 deep. Would there be an objection then to using joint
9 adhesives rather than compression joints?

10 A. As I stated earlier, I would not put a
11 jointed -- a glued joint pipe underground.

12 Q. When you say underground, are you talking
13 about under the manhole cover? This is not to be buried.

14 A. I'm including those -- those facilities in
15 manholes. I wouldn't do it.

16 Q. So you'd use compression joints at every
17 stage?

18 A. Yes.

19 Q. Again, have you heard any reports about
20 these joints failing at Big Island?

21 A. I don't recall. I don't recall hearing any
22 reports.

23 Q. Regarding the proximity of these two lines,
24 would you agree with me that there are situations where
25 having these lines this close together is almost

1 unavoidable, particularly in the lake of the Ozarks area,
2 when we're dealing with existing homeowners?

3 A. Unfortunately, I would not agree with you.

4 Q. Wouldn't there also be a degree of cost
5 involved in trying to separate those lines?

6 A. Yes.

7 Q. And isn't the cost -- well, presuming this
8 would be a cost borne by the homeowner, doesn't customer
9 preference or homeowner preference become a factor?

10 A. It certainly becomes a factor, sure.

11 Q. If -- presuming that this pit were within
12 about five feet of the foundation of a home, would your
13 opinion change about any likelihood of -- strike that.

14 If you were to learn that this was within
15 maybe three feet of the foundation of the home that's
16 served by this, and assuming that there was rock all the
17 way around it, would your opinion change about the
18 requirements for the separation?

19 MR. MILLS: Your Honor, hypothetical
20 questions are fine, but if there is absolutely no basis in
21 reality for them coming true, I think it's an improper
22 question. If it's even remotely possible that this is
23 within three feet, then I think it's an appropriate
24 question, but if not, then I think it's an improper
25 hypothetical.

1 MR. COMLEY: I think it tests his opinion
2 and whether or not they're changeable based upon changing
3 conditions of topography.

4 JUDGE STEARLEY: I agree with Mr. Comley.
5 As I said, Commissioner Gaw raised these issues with
6 regard to the placement spacing of these pipes and I'm
7 going to allow the question.

8 MR. MILLS: And my objection -- I'm sorry.
9 I wasn't talking about relevance. I was talking about the
10 structure of the hypothetical, which asks him to assume
11 facts that -- okay.

12 JUDGE STEARLEY: As do all.

13 MR. MILLS: It wasn't a relevance
14 objection. It was a structure of the question objection.

15 JUDGE STEARLEY: All right. I will allow
16 the question.

17 THE WITNESS: It wouldn't -- would not
18 change my personal opinion. I don't agree with BOCA code.
19 I do not believe that any glued joint pipe should be
20 placed in the ground. Fortunately, DNR has no authority
21 in that area. So I don't have to exercise my -- I don't
22 -- I'm not placed in a position of exercising my own
23 personal belief in what should be and should not be.

24 BY MR. COMLEY:

25 Q. I'll go the extra step and say, isn't it

1 fair to say that using joint adhesives in this kind of
2 configuration, even if the lines are separated by more
3 than two feet or three feet, is not uncommon?

4 A. It's not uncommon, no.

5 Q. With regard to the blue pipe again, would
6 you happen to know the manufacturer of this pipe?

7 A. No, I don't. There's no indications on
8 this pipe to designate a manufacturer.

9 Q. Do you know what the manufacturer's
10 warranties are on this kind of piping, whoever
11 manufactures it?

12 A. No, I do not.

13 Q. With respect to this kind of pipe, would
14 your recommendation be to replace it with a more solid
15 pipe, like copper?

16 A. My -- my recommendation would be, that
17 would be the process I would follow if I were the
18 contractor or the developer.

19 Q. Would be copper?

20 A. Yes.

21 Q. It would be rigid.

22 A. But I'm also a ductal iron man for mains,
23 and I don't go along with plastic at all. I don't think
24 it has the durability.

25 Q. With respect to the erosive qualities of

1 soil in Lake of the Ozarks region on copper, would that be
2 a consideration in the choice of service line connections?

3 A. For -- yeah, for some people it might be.

4 Q. What about the quality of the well water,
5 would acid or acidic or pH value in the water tend to have
6 corrosive effects of the copper?

7 A. Oh, absolutely.

8 Q. That has to be taken into account, doesn't
9 it?

10 A. However, I don't believe -- I don't believe
11 that generally the water in New Hampshire is corrosive
12 enough in nature anywhere in the state to -- with maybe
13 one or two exceptions to actually attack and degrade
14 copper pipe or iron pipe over a given period of time. I
15 come from a state or I managed in states where the water
16 would eat pinholes through the copper line in weeks, and
17 this water here is nowhere near corrosive enough to do
18 that.

19 Q. But there are occasions when copper pipe
20 would not be --

21 JUDGE STEARLEY: Pardon me, Mr. Comley. I
22 don't mean to interrupt. Mr. MacEachen, you said which
23 state?

24 THE WITNESS: New Hampshire.

25 JUDGE STEARLEY: I just wanted to make sure

1 we weren't talking about Missouri and you just misstated
2 what you were --

3 THE WITNESS: No, I'm not. In New
4 Hampshire we had water strong enough to eat right through
5 copper.

6 BY MR. COMLEY:

7 Q. As I recall, New Hampshire was where you
8 did have a post with the water and sewer system?

9 A. Yes. Here in Missouri, with a few
10 exceptions, it's not corrosive enough for that to be a
11 major concern.

12 JUDGE STEARLEY: Thank you for the
13 clarification.

14 BY MR. COMLEY:

15 Q. Do you know if flexible piping is less
16 expensive to repair when damaged than copper or ductal
17 iron?

18 A. I would have to say that, yes, it probably
19 is a little less expensive than copper pipe to repair.

20 Q. Are you familiar with the engineering
21 standards for the use of copper versus PVC pipe in the
22 kind of soil conditions of the lake of the Ozarks?

23 A. I'm not specifically familiar, no. I don't
24 have knowledge of those specifications.

25 Q. Besides ductal piping, would you recommend

1 polyethylene piping?

2 A. It would -- would not be my first choice.

3 Q. It's a possibility?

4 A. It's certainly a possibility, yes.

5 Q. Would there be any -- do you know if there
6 would be any benefits to galvanized steel service?

7 A. There would be nothing but detriment using
8 galvanized steel.

9 Q. Let's -- the last page of Exhibit 63, just
10 so the record is clear, the photographs that are on that
11 page, can you identify where they are located?

12 A. Aside from the labels at the top and sides
13 of each of the photographs, no, I can't identify the
14 location.

15 Q. Do you -- have you ever seen photographs
16 like this in connection with your inspection of the water
17 line relocation initiative under the settlement agreement?

18 A. I do not remember seeing these specific
19 photographs.

20 Q. Has anyone asked you to personally inspect
21 this?

22 A. I don't believe so, no.

23 Q. Have you been advised by anyone that this
24 still is the situation? You and Mr. Pugh have talked
25 quite a bit.

1 A. Uh-huh.

2 Q. Has Mr. Pugh told you that this is still
3 the situation?

4 A. I do not recall that he has identified this
5 specific location or taken any recent photos. I really
6 don't know.

7 Q. I think there were questions about bedding
8 material. Can you tell whether the bedding material has
9 been taken out or whether it's getting ready to be put in?

10 A. I can't tell from this -- from this
11 picture, no. I don't see anything along the sides of the
12 trenches that would lead me to believe that anything's
13 about to be put in.

14 Q. But you cannot tell?

15 A. But I cannot tell, correct.

16 Q. Why does DNR certify the operators of water
17 systems?

18 A. We certify operators for water systems
19 primarily to ensure that those who are in responsible
20 charge have a background and understanding and an
21 education commensurate with the requirements to provide
22 safe potable water at all times. And they are certified
23 through examination now.

24 When we began the operator certification
25 process, we did provide -- we did make provision for what

1 we call grandfathered operators. If a system had a person
2 who was operating, had been operating the system for some
3 period of time, he would be given a grandfather license
4 and allowed to continue to operate that system, but he
5 could not use that license in any other system.

6 The whole purpose behind operator
7 certification is to raise the level of operational
8 efficiency and compliance with existing regulations for
9 drinking water operators.

10 Q. Can actions be taken by DNR if a certified
11 operator fails to conform to certificate requirements?

12 A. Yes.

13 Q. What are those? What can be done?

14 A. We can -- we can suspend a license. We
15 can -- actually, I said a license. I should say
16 certification. We can suspend his certification. We can
17 revoke his certification.

18 Q. In those instances, then, a person with
19 certification can operate a wastewater facility system,
20 one that's not certificated can't; is that correct? These
21 are jobs for these people?

22 A. Right. Right. Yes, a person -- a person
23 can work at a plant irregardless to whether it's water or
24 wastewater, he can work at a plant and not have a
25 certificate, but there has to be a responsible person in

1 charge who has a certificate of appropriate level based on
2 the facilities contained within that plant who will be the
3 designated person of responsibility, and people under him
4 can still work for him under his direction and not
5 necessarily have a license.

6 Q. Once a person loses that certification,
7 that means that that person can no longer be in a position
8 of supervision over other operators?

9 A. That's correct.

10 Q. Just a second.

11 Is it true you have not -- DNR has not had
12 any kind of issues with the contract operator for the
13 wastewater and system and water system at Big Island?

14 A. I don't believe we have.

15 Q. Are you familiar with Mr. McDuffey?

16 A. Yes. Well, I know -- I know him. I do not
17 know him intimately, closely.

18 Q. I didn't expect that.

19 A. I do that to you every now and then, don't
20 I?

21 Q. But you find him a very jovial fellow.
22 Again, at this -- at this stage, DNR has no objection to
23 the way in which the facilities have been constructed
24 under your jurisdiction?

25 A. No.

1 MR. COMLEY: That's all I have. I'm five
2 minutes past my time. Excuse me.

3 JUDGE STEARLEY: Thank you very much.
4 We're going to do a round of recross here, but at this
5 point I think I'm going to go ahead and allow a switching
6 of our court reporters because Ms. Feddersen has a matter
7 she needs to attend to and I don't want to start that
8 round prior to switching.

9 So we will take a brief intermission and
10 allow them to switch places and we will resume.

11 (A BREAK WAS TAKEN.)

12 (THE REMAINDER OF THE PROCEEDINGS WERE
13 REPORTED BY PAMELA FICK.)

14

15

16

17

18

19

20

21

22

23

24

25